

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE CIRCUIT COURT OF THE FIRST CIRCUIT  
STATE OF HAWAI'I

HAWAII UNITES, a 501(c)(3)	)	CIVIL NO. 1CCV-23-0000594
nonprofit corporation; Tina Lia,	)	
an individual,	)	
	)	
Plaintiffs,	)	
	)	
vs.	)	
	)	
BOARD OF LAND AND NATURAL	)	
RESOURCES, STATE OF HAWAI'I, and	)	
DEPARTMENT OF LAND AND NATURAL	)	
RESOURCES, STATE OF HAWAI'I,	)	
	)	
Defendants.	)	
	)	
and	)	
	)	
AMERICAN BIRD CONSERVANCY,	)	
	)	
Defendant-Intervenor)	)	
_____	)	

TRANSCRIPT OF AUDIO RECORDING  
Plaintiffs' Motion Temporary Restraining Order  
for Preliminary Injunction  
BEFORE HONORABLE JOHN TONAKI, JUDGE  
Friday, July 21, 2023  
Day 1, Pages 1 through 214

TRANSCRIBED BY: MARY ANNE YOUNG, RPR, CSR No. 369

1 APPEARANCES:

2 FOR PLAINTIFFS:

3 MARGARET WILLE & ASSOCIATES  
4 BY: MARGARET WILLE, ESQ.  
5 TIMOTHY VANDEVEER, ESQ.  
6 65-1316 Lihipali Road  
7 Kamuela, Hawaii 96743  
(808) 388-0660  
mw@mwlawhawaii.com  
tim@mwlawhawaii.com

8 FOR DEFENDANTS:

9 DEPARTMENT OF THE ATTORNEY GENERAL  
10 STATE OF HAWAII  
11 BY: MIRANDA C. STEED, ESQ.  
12 DANICA L. SWENSON, ESQ.  
13 Kekuanao'a Building  
465 South King Street, Room 300  
Honolulu, Hawaii 96813  
(808) 587-2992  
Miranda.C.Steed@hawaii.gov

14 FOR DEFENDANT-INTERVENOR:

15 ATTORNEY AT LAW  
16 BY: MAXX ELIZABETH PHILLIPS, ESQ.  
17 DAVID KIMO FRANKEL, ESQ.  
18 1164 Bishop Street  
Honolulu, Hawaii 96813-2810  
(808) 521-2302

19

20

21

22

23

24

25

I N D E X

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

PLAINTIFFS' WITNESS:

LORRIN W. PANG, M.D.

EXAMINATION:	PAGE
By Mr. Vandever	11, 38, 126
By Ms. Steed	24, 119
By Mr. Frankel	32, 125

TINA LIA

EXAMINATION:	PAGE
By Mr. Vandever	127, 182
By Ms. Steed	171
By Mr. Frankel	180

DEFENDANTS WITNESS:

NICOLE FERGUSON

EXAMINATION:	PAGE
By Ms. Steed	185

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

EXHIBITS

ADMITTED INTO EVIDENCE

PLAINTIFFS' EXHIBITS

NUMBER	RECEIVED
1	6
10	107
12	71
13	99
14	63
15	147

DEFENDANTS' EXHIBITS

NUMBER	RECEIVED
1 to 10	7
17	6
24	6
26	6

1 (Beginning of audio and video recording)

2

3 THE BAILIFF: All rise. The Circuit Court of  
4 the First Circuit is now in session, the Honorable John  
5 Tonaki presiding.

6 You may be seated.

7 Calling Civil Number 1CCV-23-0000594, Hawaii  
8 Unites, et al, versus the DLNR, et al, for Plaintiffs'  
9 Motion Temporary Restraining Order for the Preliminary  
10 Injunction.

11 Appearances please, starting with the  
12 Plaintiff?

13 MR. VANDEVEER: Good morning, your Honor. Tim  
14 Vandever and Margaret Wille on behalf of Plaintiffs  
15 Hawaii Unites and Tina Lia, and Ms. Lia is also joining  
16 us in --

17 JUDGE TONAKI: Good morning.

18 MR. VANDEVEER: -- court this morning.

19 MS. STEED: Good morning, your Honor. Deputy  
20 Attorneys General Miranda Steed and Danica Swenson  
21 appearing on behalf of the State.

22 MS. SWENSON: Good morning.

23 JUDGE TONAKI: Good morning.

24 MS. PHILLIPS: Aloha. Good morning. Maxx  
25 Phillips and David Kimo Frankel on behalf of Intervenor

1 Defendant American Bird Conservancy.

2 JUDGE TONAKI: Good morning.

3 MR. FRANKEL: Good morning.

4 JUDGE TONAKI: Okay. Um, the -- there's been  
5 a stipulation filed regarding certain exhibits, so are  
6 the parties asking that these be received in evidence?

7 MR. VANDEVEER: Yes, your Honor.

8 JUDGE TONAKI: Okay. So -- so Plaintiffs'  
9 Exhibit 1 will be received in evidence as well as  
10 Defendants' Exhibits 17, 24 and 26.

11 (Plaintiffs' Exhibit 1 was received  
12 into evidence)

13 (Defendants' Exhibits 17, 24 and 26  
14 were received into evidence)

15 JUDGE TONAKI: Okay. And are we ready to  
16 proceed?

17 MS. STEED: Your Honor, we have a couple other  
18 last minute stipulations. I apologize for the  
19 tardiness.

20 JUDGE TONAKI: Stipulations?

21 MS. STEED: Yes.

22 JUDGE TONAKI: Okay.

23 MS. STEED: The parties have agreed to admit  
24 Defendants' Exhibits D1 through D10 into evidence as  
25 well.

1 JUDGE TONAKI: Let's see. D1 through 10?

2 MS. STEED: Yes.

3 JUDGE TONAKI: Defendants' Exhibits 1 through  
4 10 will be received in evidence.

5 (Defendants' Exhibits 1 through 10  
6 were received into evidence)

7 MS. STEED: Okay. And additionally -- and we  
8 do apologize, there was a last-minute amended witness  
9 list. We have spoken between the parties and agreed  
10 that Patrick Hart will also be appearing as a witness  
11 for the Defendants. And I have a courtesy copy of the  
12 filing if your Honor would like.

13 JUDGE TONAKI: Patrick Hart?

14 MS. STEED: Yes.

15 JUDGE TONAKI: Okay. Is that correct,  
16 Mr. Vandever?

17 MR. VANDEVEER: Yes, your Honor.

18 JUDGE TONAKI: Okay. So Patrick Hart will  
19 be -- there's seven witnesses on the list, right?

20 MS. STEED: Yes, your Honor, although because  
21 we have stipulated to Exhibits D1 through 10, we will no  
22 longer be calling Ms. Hageman, who is listed as the  
23 first witness.

24 JUDGE TONAKI: Okay. Okay. Anything else?

25 MS. STEED: One further thing, your Honor. I

1 believe we have agreed to sequester witnesses.

2 JUDGE TONAKI: Well, you mean the witness  
3 exclusionary rule?

4 MS. STEED: Yes.

5 JUDGE TONAKI: Yes. The Court will invoke the  
6 witness exclusionary rule, so if there are any witnesses  
7 who are currently in the courtroom, you must remain  
8 outside until you're -- you're called to testify. And  
9 any witnesses who do testify are not to discuss their  
10 testimony with other witnesses at the conclusion of  
11 their testimony. And I will impose on counsel the  
12 obligation to enforce the witness exclusionary rule,  
13 okay?

14 MR. VANDEVEER: Understood, your Honor.

15 MS. STEED: Thank you, your Honor.

16 JUDGE TONAKI: Yes.

17 Okay. Anything else?

18 MS. STEED: I guess just if I may tell the  
19 witnesses to --

20 JUDGE TONAKI: Pardon me?

21 MS. STEED: If I may instruct my witnesses  
22 that they need to leave the courtroom now?

23 JUDGE TONAKI: Oh, so that --

24 MS. STEED: Okay.

25 JUDGE TONAKI: Well, before you do that --



1 well, yeah, maybe they better leave now, yeah.

2 MS. STEED: Yeah.

3 JUDGE TONAKI: Okay. Well, was that Ms. Lia?  
4 Is -- she's not a party.

5 MR. VANDEVEER: She's the plaintiff -- one of  
6 the plaintiffs, your Honor, yes.

7 JUDGE TONAKI: Because she's a party, she can  
8 remain.

9 MR. VANDEVEER: She can remain?

10 JUDGE TONAKI: Yeah.

11 MR. VANDEVEER: Okay. I'll let her know.

12 JUDGE TONAKI: Yeah, I think she --

13 MR. VANDEVEER: She's okay?

14 JUDGE TONAKI: Yeah.

15 MR. VANDEVEER: Thank you, Judge.

16 JUDGE TONAKI: Okay. Are there any other  
17 preliminary matters?

18 MR. VANDEVEER: No, your Honor.

19 MS. STEED: No, your Honor.

20 JUDGE TONAKI: Okay. The Court has reviewed  
21 the application for petition for preliminary injunction  
22 as well as the memoranda in opposition, DLNR, also the  
23 Intervenor as well as there was an amicus brief that was  
24 submitted by Bird Justice, so I think we can proceed  
25 straight to the evidence.

1 Are the parties ready?

2 MR. VANDEVEER: Your Honor, if we may, just a  
3 few opening remarks?

4 JUDGE TONAKI: Well, as I said, I reviewed the  
5 motion -- the motions and the memoranda so I don't think  
6 -- I understand this parties' position. I will allow  
7 for closing --

8 MR. VANDEVEER: Okay.

9 JUDGE TONAKI: -- arguments at the end of the  
10 proceeding, but I think we can just proceed straight  
11 into the evidence.

12 MR. VANDEVEER: Okay. Thank you, your Honor.  
13 Plaintiffs are prepared to proceed.

14 JUDGE TONAKI: Okay.

15 MR. VANDEVEER: And at this time we'll call  
16 our first witness, Dr. Lorrin W. Pang.

17 Can you go get him?

18 UNIDENTIFIED SPEAKER: Yes.

19 DR. PANG: Where do I sit?

20 MR. VANDEVEER: Next to the judge.

21 THE CLERK: Do you solemnly swear or affirm  
22 that the testimony you are now about to give will be the  
23 truth, the whole truth and nothing but the truth?

24 DR. PANG: Yes.

25 JUDGE TONAKI: Also, before we start, we were

1 scheduled to have a court reporter today, but as you  
2 know, there are a lot of trials going on this week and  
3 we were notified this morning there will be no court  
4 reporter, so if I can ensure that you folks speak  
5 clearly into the microphone -- and also the witnesses  
6 speak clearly into the microphone so we get -- we're  
7 recording everything, and any transcript that's going to  
8 be made later will have to come off the recording, so  
9 it's essential that the parties speak clearly into the  
10 microphone.

11 MR. VANDEVEER: Understood, your Honor.

12 JUDGE TONAKI: Okay. Thank you. Please  
13 proceed.

14 LORRIN W. PANG, M.D.,  
15 having first been duly sworn, was  
16 examined and testified as follows:

17

18 DIRECT EXAMINATION

19 BY MR. VANDEVEER:

20 Q Good morning, Dr. Pang.

21 A Good morning.

22 Q Can you please tell us about your education?

23 A I'm born and raised in Honolulu. I finished  
24 at Punahou School and then I went to Princeton --  
25 Princeton University from 1971 to '75. I graduated with

1 honors in Chemistry, but parallel to that, I took four  
2 years -- or equivalent four years of mathematics and  
3 physics.

4 Then I went to medical school from '75 to '79  
5 at Tulane, and at that time during medical school, I  
6 also got a Master's in Public Health in Tropical  
7 Medicine. My goal was to practice topical medicine.

8 A year after medical school, I deferred for  
9 one year to see tropical medicine myself, and I was an  
10 exchange student for Tulane. I went to learn the  
11 pathology of tropical diseases in Northeast Brazil, and  
12 they sent an exchange student to learn immunology at  
13 Tulane.

14 I had taken, by then, the scholarship for the  
15 military, specifically that I would be assigned overseas  
16 to -- sorry -- study tropical diseases. So after doing  
17 my internship at Letterman Army Hospital, because you  
18 need that -- I already had the Master's of Public  
19 Health -- they assigned me to Bangkok, Thailand for the  
20 next, gosh, five years, and we were working on malaria  
21 treatment, pest -- pesticide.

22 (Audio from 09:14 to 09:19 not transcribed)

23 We went for a sabbatical in Brazil to just  
24 visit them again. It was ten years ago. I was a  
25 professor of medicine at the Federal University, and

1 that's where I first saw Wolbachia. They were planning  
2 for a sweep to bring Wolbachia to spread out through all  
3 of the dengue areas, and Wolbachia, in that mosquito  
4 against dengue, makes the mosquito less apt, lower risk,  
5 less carriage of dengue. I went over their numbers. We  
6 didn't publish anything. We just came to an  
7 understanding that move ahead, try to sweep and try to  
8 control dengue.

9 And then I want continued on and now this  
10 issue came up and I reentered again.

11 MR. FRANKEL: Objection, your Honor. Move to  
12 strike. Non-responsive and well beyond the question.

13 MR. VANDEVEER: Your Honor, he was answering a  
14 question about what he has done since completing his  
15 degree.

16 JUDGE TONAKI: The objection needs to come in  
17 before he answers. He's been answering all the -- he's  
18 been testifying for a few minutes now.

19 So Mr. Vandever, I will ask you to please ask  
20 questions, rather than have narrative answers.

21 MR. VANDEVEER: Yes, your Honor.

22 MS. STEED: Your Honor, the State respectfully  
23 moves to strike the testimony regarding Wolbachia  
24 because it was non-responsive to the question asked.

25 JUDGE TONAKI: Overruled.

1           Okay. Now, I thought you folks were going to  
2 designate an attorney between the two of you that would  
3 handle a certain witness because --

4           MS. STEED: Oh --

5           JUDGE TONAKI: -- that was my understanding,  
6 you were going to coordinate so we had one attorney  
7 between the DLNR and the Intervenor handling the  
8 witness.

9           MR. FRANKEL: Our understanding is that's for  
10 the direct, your Honor, not for the cross.

11          JUDGE TONAKI: Okay. Well, I would prefer one  
12 attorney making the objections and also one attorney  
13 handling the cross.

14          MR. FRANKEL: Can you then give us a couple  
15 minutes to consult about that? I think it's going to be  
16 challenging for us to do that. I mean, we're certainly  
17 operating under the assumption for the direct witnesses;  
18 we weren't aware for the cross.

19          JUDGE TONAKI: Okay. Well, yeah, why don't  
20 you folks take a few minutes and work that out? Because  
21 that was my understanding after the conference the other  
22 day.

23          MS. STEED: Apologies, your Honor. Our  
24 understanding was that for direct examination, we would  
25 not both be directly examining as that would be a very

1 long time for us to be able to make direct examination;  
2 however, our understanding is that we are still separate  
3 parties and so we would both be doing cross-examination.

4 JUDGE TONAKI: Okay. Okay. Well --

5 MS. STEED: And we have different --  
6 apologies, your Honor. We have different interests as  
7 well. I mean, the State's interests, you know, are  
8 clearly distinct from that of American Bird Conservancy.

9 JUDGE TONAKI: Okay. I'm going to caution you  
10 not to go over the same things in cross as between the  
11 two of you.

12 MS. STEED: Understood, your Honor. We will  
13 make sure that we do not overlap.

14 JUDGE TONAKI: I sustained the objection, but  
15 that's already been covered by the other party.

16 MS. STEED: Understood. It won't happen  
17 again, your Honor.

18 MR. VANDEVEER: Respectfully, your Honor,  
19 Plaintiffs' understanding was the same as the Court of  
20 the hearing this week and also in the interest of  
21 efficiency --

22 JUDGE TONAKI: Right.

23 MR. VANDEVEER: -- I think it makes sense for  
24 both direct and cross.

25 JUDGE TONAKI: Okay. Proceed, Mr. Vandever.

1 MR. VANDEVEER: Thank you, your Honor.

2 BY MR. VANDEVEER:

3 Q Dr. Pang, are you currently employed in the  
4 scientific field?

5 A Yes. Well, in the Department of Health, we do  
6 publish -- I've recently published maybe eight articles  
7 in the last three years.

8 Q What is your current position?

9 A I'm the District Health Officer for Maui  
10 County under the Hawaii Department of Health.

11 Q And are you speaking today in that capacity?

12 A No. I was asked to speak as a private  
13 citizen. The former Director of Health allowed me to  
14 speak for the Department of Health about Wolbachia, but  
15 the new director asked me to speak as a private citizen.

16 Q You -- I'm sorry. How long have you worked as  
17 the Maui County District Health Officer?

18 A Since the year 2000.

19 Q Do you specialize in any areas?

20 A Epidemiology and statistics, and recently in  
21 the last two or three years mathematical modeling, Covid  
22 and now rat lung disease.

23 Q Do you specialize in the areas of tropical  
24 diseases?

25 A Yes, I do. During the dengue outbreak, we



1 were consulted internationally. People trying to tell  
2 us how to control the vector. CDC was here, but we did  
3 it.

4 Q Do you specialize in the area of disease  
5 vectors?

6 A Yes, for -- you mean you want examples? Not  
7 only are we --

8 Q I'll ask the question, Dr. Pang.

9 Can you describe what a disease vector is?

10 A A disease vector is what carries the germ to  
11 the target host. Now, you might not like the host, the  
12 rat, or you might like the host, humans, but it is the  
13 way that the germ gets to the host. The cycle is  
14 completed when the host puts out more germ and the  
15 vector takes it back to them. Round and round you go.

16 Q How long has epidemiology, statistics,  
17 tropical diseases and disease vectors been your area of  
18 expertise?

19 A It was my area ever since I finished the  
20 Master's in Public Health in 1979. That was -- you  
21 weren't allowed a specialty, so I chose tropical  
22 diseases, but the Walter Reed hired me as the  
23 epidemiology statistician in the first assignment to  
24 Bangkok, and ever since then, that's my area.

25 Q What type of training, if any, have you

1 received to prepare you to work in this area of  
2 expertise?

3 A First of all, there's core courses at Public  
4 Health. The Public Health is two years. There's core  
5 courses. And then after that, you kind of self-educate,  
6 and if you're good or have questions, you can publish on  
7 it, so I published on these different areas -- whatever  
8 disease, we always publish on an epidemiological aspect,  
9 but only recently have I taken on modeling.

10 Q Have you worked specifically with  
11 mosquito-borne illnesses?

12 A Oh, yes, mostly malaria, and then there are --  
13 you should know some things like mosquitoes, like sand  
14 flies, they're kind of like mosquitoes, they spread a  
15 whole another set of diseases, and now my students  
16 consult for Doctors Without Borders. They invited me  
17 back to cover that area also.

18 Q Can you explain what mathematical modeling is?

19 A Mathematical modeling, there's generally two  
20 areas. If you give me data from the field, I can fit  
21 the data to the best math I can. Sometimes it fits nice  
22 to equations, simple things. Sometimes it doesn't.

23 The other approach is to come out of an  
24 equation kind of simple and then go do your field  
25 studies to see if it fits.

1           So it can be both ways, fitting data to -- I'm  
2     sorry. Fitting the equation to existing data as best  
3     you can and sometimes coming up with the equation and  
4     then go make the studies to see if it fits. Either way.

5           Q     Have you mitigated any public health outbreaks  
6     of mosquito-borne illnesses?

7           A     Well, yeah, in dengue. As soon as I came to  
8     Maui in the year 2000, we had a big dengue outbreak and  
9     we shut that down in six months. A lot of people said,  
10    "You'll never do it," and they're not shamed to say they  
11    said that, but we did it, and so hence the presentation  
12    at Johns Hopkins.

13          Q     And how did you do it?

14          A     Well, CDC was here and they were trying to  
15    control the vector because we have no vaccine and there  
16    was no treatment, and we had trouble diagnosing who was  
17    who, disease or carrier.

18                So then they said, "You gotta use the rules  
19    here, and a vector will go maybe a couple hundred yards,  
20    so spray a couple hundred yards."

21                The outbreak was in Hana. I took them to  
22    Hana. There's no couple hundred yards. The jungle is  
23    25 yards, so we struck a deal. I would do 25 yards  
24    three times when they're diagnosed or when they're  
25    symptomatic. When they're diagnosed, two weeks later

1 and one month later. They said, "Okay. That's the best  
2 we can do," and that worked. So we weren't doing  
3 200 yards one time, but 25 yards three times. They  
4 said, "As long as you monitor your cases, you know, make  
5 sure things are good," and then we did eradicate it in  
6 six months.

7 Oh, sorry. By the way, we were accused by the  
8 rest of the world, "You had the easy vector."

9 MS. STEED: Objection.

10 JUDGE TONAKI: Well --

11 MS. STEED: Objection. Hearsay.

12 JUDGE TONAKI: Overruled.

13 THE WITNESS: We were accused that we had the  
14 easy vector for dengue, *Aedes albopictus* for a vector,  
15 rather than *Aedes aegypti*, which the rest of the world  
16 had.

17 The CDC took samples of the egg of *Aedes*  
18 *albopictus* and sent it to the Institute Pasteur, and  
19 said, "Whatever you have, Dr. Pang, has such a high  
20 carriage rate of the virus, it's higher than *Aedes*  
21 *aegypti*."

22 The point is that in the 150 years that we  
23 have had this vector on Maui, it has speciated to be a  
24 very powerful carrier of dengue. So I wasn't trying to  
25 say, "Well, CDC we didn't have the easy one," and then

1 they sent scientists here from Japan to say, "Whoa, what  
2 did you do?" Because they have the same vector too.

3 And I warned them that their speciation was a  
4 strain of Aedes albopictus might be different than ours.  
5 150 years is a long time.

6 BY MR. VANDEVEER:

7 Q I would ask you to -- if you can, Dr. Pang,  
8 just pull back from the mic a little bit and speak  
9 louder, if it's okay. I'm having trouble understanding  
10 some of what you're saying when you're really close to  
11 the mic.

12 A Okay.

13 Q Dr. Pang, have you written any articles  
14 related to mosquito-borne illnesses?

15 MR. FRANKEL: Objection. Vague.

16 JUDGE TONAKI: Overruled.

17 THE WITNESS: We've written so many articles  
18 about malaria, the treatment, and always in there in the  
19 background is that if we can't treat it or resistance is  
20 developing, shall we address the mosquitoes?

21 Now, the mosquitoes developed resistance to  
22 DDT, and they were banning DDT because of development of  
23 resistance worldwide, and so we had a meeting --  
24 international meeting that everybody stopped using DDT  
25 except for malaria for health reasons. But for

1 agriculture, everything stops. And now the world says  
2 not even for malaria, human malaria, human malaria,  
3 should you use DDT.

4 I sided with the Haitians, who were poor, and  
5 they said, "We need DDT." So we took the position for  
6 the vector for malaria. They can use DDT but try not to  
7 abuse it.

8 MR. FRANKEL: Objection, your Honor.  
9 Non-responsive. Move to strike.

10 JUDGE TONAKI: Sustained. The question was, I  
11 think, on publication.

12 MR. VANDEVEER: The question -- yes, your  
13 Honor. Thank you.

14 BY MR. VANDEVEER:

15 Q The question was have you written any articles  
16 related to mosquito-borne illnesses?

17 A Yes, maybe 40 or 50.

18 Q I'm sorry?

19 A Yes.

20 Q I didn't hear you.

21 A Yes, maybe about 40 or 50.

22 Q 40 or 50.

23 Were those articles published?

24 A Yes. I only refer to published peer reviewed.

25 Q What was the date of your last publication?

1 A Of -- of mosquito-borne illnesses?

2 Q Article, yes.

3 A Maybe 2013 or so, dengue and --

4 Q And I believe you mentioned one of the  
5 subjects of those articles.

6 Can you recall any subject matter from the  
7 other articles, the 40 or 50 other, briefly?

8 A Oh, they always cover the background of how do  
9 we stand with prevention, controlling the vector. There  
10 were bed nets, impregnated bed nets with pyrethroids.  
11 There was insecticide.

12 But it always ends up as, what do we get down  
13 the line? So ours was rapid diagnosis, early treatment,  
14 and then if that fails, treatment of severe malaria.

15 I think the last article came out of  
16 Bangladesh. They had trouble with rapid diagnosis.

17 MR. VANDEVEER: Your Honor, at this time  
18 Plaintiffs would move to certify Dr. Pang as an expert  
19 witness.

20 JUDGE TONAKI: In what field?

21 MR. VANDEVEER: In the field of tropical  
22 diseases and disease vectors, and able to speak on the  
23 matters at issue in this case.

24 JUDGE TONAKI: Voir dire?

25 MS. STEED: Yes, your Honor, thank you.

1 VOIR DIRE EXAMINATION

2 BY MS. STEED:

3 Q Good morning, Dr. Pang.

4 A Good morning.

5 Q You previously testified that you have an  
6 undergraduate degree in chemistry; is that correct?

7 A Yes, with honors.

8 Q And you do not have an undergrad degree in  
9 entomology?

10 A Princeton did not give an undergraduate degree  
11 in entomology. Not in those days.

12 Q So is that a no?

13 A I don't have what was not offered.

14 Q Dr. Pang, is it correct that you do not have a  
15 degree in ornithology?

16 A Ornithology. Can you please define for  
17 everybody here what exactly you mean? Is that birds?

18 Q Yes, it's birds.

19 A The undergraduate degree at Princeton was in  
20 biology. If you chose to specialize in ornithology, you  
21 got a degree in biology.

22 MS. STEED: Your Honor, please instruct the  
23 witness to answer the question.

24 JUDGE TONAKI: Just answer the question. Do  
25 you have a degree in ornithology?



1 THE WITNESS: No.

2 BY MS. STEED:

3 Q When you say that you studied disease vectors,  
4 have those been for human disease?

5 A Mostly yes, but they do overlap with  
6 agriculture now.

7 Q And so when you study dengue, this is dengue  
8 that affects humans?

9 A Yes.

10 Q Is that correct?

11 And when you study malaria, this is malaria  
12 that affects humans; is that correct?

13 A Yes.

14 Q So you have never studied malaria in other  
15 species; is that correct?

16 A Studied or published?

17 Q Well, let's start with studied.

18 A I have studied extensively malaria in other  
19 species.

20 Q Have you studied it with an academic  
21 institution?

22 A World Health Organization, yes.

23 Q In which species were those?

24 A The species of mosquitoes, right, that travels.  
25 They say trying to treat mosquitoes --

1 Q Mr. Pang, I think you misunderstand my  
2 question.

3 I'm asking if you studied malaria other than  
4 human malaria.

5 A Yes.

6 Q And in which species?

7 A Plasmodia gallinacei, Plasmodia berghei, the  
8 mouse -- the rat. Plasmodium gallinaceum is chicken  
9 malaria. Plasmodia -- those two because those were the  
10 animal models to test our drugs.

11 Q And when you studied mosquitoes in Brazil, is  
12 it correct that you studied a specific species of  
13 mosquito?

14 A Well, we studied the mosquito that transmits  
15 dengue in Brazil, correct, Aedes aegypti.

16 Q And when you studied mosquitoes that carry  
17 malaria, did you study the anopheles mosquito?

18 A I'm sorry. The what?

19 Q The anopheles mosquito?

20 A Can you repeat that?

21 Q When you studied mosquitoes that -- mosquitoes  
22 that are vectors for human malaria, did you study the  
23 anopheles mosquito?

24 A I never heard that word before. Is that  
25 another --

1 Q What type --  
2 A -- species?  
3 Q -- of mosquito did you study?  
4 A Sorry?  
5 Q What type of mosquito did you study when you  
6 studied human malaria?  
7 A I studied the mosquitoes --  
8 Q Dr. Pang --  
9 A Oh, I'm sorry. I know what you mean.  
10 Anopheles?  
11 Q Yes.  
12 A Oh, gosh. It's anopheles mosquitoes. I  
13 studied many anopheles mosquitoes, stephensi,  
14 peridomincelari (phonetic) in India, many other  
15 mosquitoes, the forest vectors, the gambiae in Africa.  
16 Q Okay. So --  
17 A I mean, just all of them.  
18 Q -- if you studied human malaria, the anopheles  
19 mosquito is specifically the mosquito that you studied?  
20 A Yes, yes. On -- yeah, except when we looked  
21 at animal malaria for the animal models. I can't recall  
22 what vector transmitted it what -- anopheles transmitted  
23 it to chicken. We studied chicken mouse -- we studied  
24 mouse --  
25 Q Dr. Pang, is it -- is it fair to say then that

1 for malaria you have studied the anopheles mosquito  
2 species?

3 A Yes.

4 Q And for dengue, you have studied the Aedes  
5 aegypti mosquito?

6 A Yes.

7 Q And the Aedes albopictus mosquito?

8 A Yes.

9 Q You have not studied the culex  
10 quinquefasciatus mosquito?

11 A Not until my recent publications.

12 Q Your recent publications in 2013?

13 A I'm sorry. I take that back. I was confusing  
14 it with rat lung, the rat lung publications. No, I have  
15 not published on culex.

16 Q And it's fair to say that rat lung was --  
17 strike that.

18 The disease vector for rat lung disease is  
19 slugs; is that correct?

20 A Correct.

21 Q So it's fair to say that you have not studied  
22 the culex quinquefasciatus mosquito?

23 A Culex quinquefasciatus? Not for malaria.  
24 It carries other diseases, yeah. Culex  
25 quinquefasciatus. We always were afraid that it would

1 bring West Nile into Hawaii. That's a culex we have.

2 Oh, we actually studied it extensively out of --

3 Q Dr. Pang --

4 A -- (inaudible) not with pesticides.

5 Q -- thank you. You've answered the question.

6 Is it -- is it correct that you have not

7 published any papers on Wolbachia?

8 A Wolbachia, that's correct.

9 Q And you have not studied the incompatible  
10 insect technique?

11 A That's correct.

12 Q And you have not --

13 A Wait. Wait. I studied or published? I  
14 studied it but I haven't published.

15 Q Have you studied it with a research  
16 institution?

17 A Oh, I think I've study it. I'm trying to  
18 think with a research institution. The Department of  
19 Health. The former director covered me and said, "You  
20 speak for the Department of Health." They do research.

21 Q You did not publish on dengue?

22 A Correct.

23 Q Okay. And you have not published any papers  
24 on horizontal transmission?

25 A Of?

1 Q On --  
2 A What disease?  
3 Q On horizontal transmission of Wolbachia.  
4 A Oh, correct, I have not published on that. I  
5 gave presentations.  
6 Q But not published publications?  
7 A Correct.  
8 Q And you have not published on avian malaria?  
9 A Avian malaria? I haven't published on it,  
10 correct.  
11 Q And you have not studied avian malaria on  
12 endemic Hawaiian honeycreepers?  
13 A Studied or published?  
14 Q Published.  
15 A I haven't published, no.  
16 Q And it's correct that you are not currently  
17 authorized to speak about Wolbachia by the Department of  
18 Health?  
19 A Correct, by the new director.  
20 Q By the Department of Health.  
21 A The new director of the Department of Health,  
22 correct.  
23 Q Which is the Department of Health, correct?  
24 A Correct.  
25 Q Thank you.

1           And isn't it also correct that you made  
2 statements in support of using Ivermectin to combat the  
3 Covid-19 virus?

4           A     I made statements about Ivermectin, correct.

5           Q     And is it correct that the Department of  
6 Health reprimanded you for making those statements?

7           A     Absolutely not.

8           Q     You were not reprimanded for making statements  
9 about Ivermectin by the Department of Health?

10          A     Not by the Department of Health and not by the  
11 Governor, and I'm offend by that comment, if it goes  
12 formally. I cleared my name by the Board of --

13          Q     Dr. Pang --

14          A     -- Medical Examiners.

15          Q     -- I have not asked you about that.

16          A     Then try not to bring it up.

17                MS. STEED: Your Honor, the State respectfully  
18 objects to Dr. Pang being qualified as a witness  
19 today -- an expert witness today on the grounds that he  
20 has not studied the transmission of Wolbachia, he has  
21 not studied avian malaria. His expertise, although may  
22 be broad in the medical field, it is not related to  
23 insect -- incompatible insect technique, which is the  
24 subject of the environmental assessment.

25                MR. FRANKEL: Your Honor?

1 JUDGE TONAKI: Mr. Frankel, any voir dire?

2 MR. FRANKEL: Just very briefly, your Honor.

3

4 VOIR DIRE EXAMINATION

5 BY MR. FRANKEL:

6 Q Dr. Pang, you received formal training as a  
7 doctor, correct?

8 A Correct.

9 Q You're a medical doctor?

10 A Correct.

11 Q Not a veterinarian?

12 A That's correct.

13 Q You did not major in biology in college?

14 A I did take a -- correct.

15 Q You didn't study Hawaiian birds in college?

16 A No, I did not.

17 Q You did not study avian disease transmission  
18 in college?

19 A Study or published?

20 Q You did not study avian disease transmission  
21 in college?

22 A In college, correct.

23 Q You've never conducted any experiments  
24 assessing the transmission of avian diseases?

25 A That's not true. I have.



1 Q Of avian diseases? Okay.

2 A That's not true. I have extensively  
3 studied --

4 Q I didn't say studied.

5 A -- diseases.

6 Q You haven't conducted any experiments  
7 assessing the transmission of avian diseases?

8 A I have.

9 Q You did not perform any experiments with  
10 horizontal transmission in college?

11 A I'm not sure we had that term back then, but  
12 I'm not a biology major.

13 Q Okay. And you -- you did not perform  
14 experiments with Wolbachia in college?

15 A Correct.

16 MR. FRANKEL: Your Honor, American Bird  
17 Conservancy recognizes that Dr. Pang is an expert in  
18 infectious human diseases. He's an expert in  
19 mosquito-borne human illnesses. He can testify as to  
20 the vector of human diseases, but the qualifications  
21 offered by the Plaintiff are overly broad, particularly  
22 when they say in all matters in this trial. The scope  
23 of his expertise is narrow.

24 Thank you.

25 JUDGE TONAKI: Mr. Vandevveer --

1 MS. STEED: Your Honor, may I make one more  
2 remark?

3 JUDGE TONAKI: Yes.

4 MS. STEED: Additionally when asked about the  
5 types of mosquitoes and when asked specifically about  
6 the mosquito that is used in this project, the subject  
7 of this lawsuit, Dr. Pang got confused.

8 JUDGE TONAKI: Okay. Mr. Vandevveer, my  
9 understanding is you're offering Dr. Pang as an expert  
10 in two areas, tropical diseases and disease vectors?

11 MR. VANDEVEER: Yes, your Honor.

12 JUDGE TONAKI: Okay.

13 MR. VANDEVEER: As it -- as it applies to the  
14 review and evaluation of the studies in this proposed  
15 project relating to Wolbachia.

16 So, your Honor, if I may, the human risk, as  
17 your Honor is aware, is one of the risks that Plaintiffs  
18 have identified in this project as well as --

19 MS. STEED: Objection, your Honor. That's  
20 beyond the scope of voir dire.

21 JUDGE TONAKI: Well, he was making his  
22 argument as to --

23 MS. STEED: All right.

24 JUDGE TONAKI: -- Dr. Pang's --

25 MS. STEED: Withdrawn.

1 JUDGE TONAKI: Okay.

2 MR. VANDEVEER: As well as the entire web of  
3 life. Dr. Pang's experience since his education, which  
4 Dr. Pang, understand, was over 40 years ago, I think  
5 lends heavy credence to not only his experience in  
6 disease vectors and tropical disease, but he's also  
7 taken part in numerous studies, as he testified.

8 I believe the concerns being expressed by  
9 Defendants go to the weight of his testimony, your  
10 Honor, specialization, and not as to whether he's  
11 qualified to opine as to the studies in the proposed  
12 project to help you, as the trier of fact.

13 And so I would ask that your Honor allow  
14 Dr. Pang to testify as to any review and evaluation  
15 regarding the proposed project.

16 JUDGE TONAKI: Okay. The Court will qualify  
17 Dr. Pang in the limited area for purpose of this disease  
18 of disease vector, because it sounds as though Dr. Pang  
19 has done quite a bit of work in the area of vector  
20 control as it relates to mosquitoes, which is the issue  
21 in this case.

22 So the Court will not qualify him as an expert  
23 of tropical disease because his work has been done in  
24 the area of disease -- human diseases, and we're dealing  
25 obviously here with avian disease, so with --

1 MR. VANDEVEER: I'm sorry, your Honor, to --

2 JUDGE TONAKI: Yes.

3 MR. VANDEVEER: If I may? With respect to  
4 Dr. Pang's knowledge in tropical diseases, the risks  
5 that have been identified in this project go to the very  
6 heart of what his specialization is, and that is the  
7 risk of this escaping the project and impacting humans.

8 JUDGE TONAKI: So -- so the Court will allow  
9 Dr. Pang to testify as to the vector, the mosquitoes,  
10 and how this might impact the spread of other diseases,  
11 if that's his opinion, but will not allow him to go into  
12 length as to the various tropical diseases and malaria  
13 and whatever human diseases that -- I don't think those  
14 diseases are at issue here in this case.

15 MR. VANDEVEER: Thank you, your Honor.

16 MS. STEED: Your Honor?

17 MR. VANDEVEER: Ms. Steed?

18 MS. STEED: Respectfully, we request that in  
19 terms of his qualification as a vector disease expert,  
20 it would be narrowly construed to only vectors of  
21 human -- carrying human diseases because that is his  
22 specialty.

23 JUDGE TONAKI: Well, yeah, I don't -- I don't  
24 -- Dr. Pang is not going to render any opinion as to the  
25 effect of avian malaria on birds, right? That's not

1 going to be his -- his testimony today?

2 MR. VANDEVEER: His testimony today, your  
3 Honor, will be as to the effect of the Wolbachia  
4 project, the IIT technique, what is being proposed  
5 currently --

6 JUDGE TONAKI: Yeah, the mosquitoes.

7 MR. VANDEVEER: Right, and the effects -- the  
8 significant effects it can have on the environment  
9 including humans.

10 JUDGE TONAKI: Okay.

11 MS. STEED: Your Honor?

12 JUDGE TONAKI: You're limited to that.

13 MS. STEED: I apologize, your Honor, but  
14 respectfully, as to humans, we accept he can qualify as  
15 an expert for disease vector relating to Wolbachia  
16 transfer, but as to the rest of the environment, he's  
17 given no background that he has any degrees in ecology,  
18 for example, that he can speak to the broader effects of  
19 Wolbachia on the natural environment, only as to humans.

20 JUDGE TONAKI: No, I'm not qualifying him as  
21 to rendering opinions as to the environment in general;  
22 it's just the vector part of it, the mosquitoes.

23 MS. STEED: Thank you, your Honor.

24 JUDGE TONAKI: That's the best I can narrow  
25 it.

1 MR. VANDEVEER: Thank you, your Honor.

2 JUDGE TONAKI: Okay. Go ahead.

3

4 DIRECT EXAMINATION (Continued)

5 BY MR. VANDEVEER:

6 Q Dr. Pang, is there any current literature  
7 related to your expert opinion regarding the risks of  
8 tropical disease transmission in this case?

9 MR. FRANKEL: Objection. It goes beyond the  
10 scope of his field.

11 JUDGE TONAKI: Overruled.

12 THE WITNESS: Yeah, there is a publication on  
13 this project that should our mosquito, the one that  
14 could transmit West Nile Virus, should it pick up  
15 Wolbachia by mistake through this project, that mosquito  
16 culex could be up-regulated, increased risk of  
17 transmitting West Nile Virus. That's a little  
18 counterintuitive. Most of the time the mosquitoes, when  
19 they get Wolbachia, the diseases they transmit, you  
20 know, dengue and things like that, go down, but for West  
21 Nile Virus, a human disease, we're a little worried that  
22 our mosquito with culex, which transmit that, might  
23 transmit more if it gets Wolbachia. There's a  
24 publication on that.

25

1 BY MR. VANDEVEER:

2 Q Can you summarize the literature you relied  
3 upon?

4 A It was a cross reference of your -- one of  
5 your exhibits. It -- sorry. It's the exhibit itself 9,  
6 10, 11, 12, 13 -- I think it's Exhibit 13, West Nile  
7 Virus that sometimes Wolbachia will up-modify it, and  
8 they got in a discussion whether that Wolbachia was  
9 acquired in the lab or naturally transmitted. That has  
10 yet to be defined how the mosquito culex got Wolbachia  
11 to up-regulate West Nile Virus.

12 Q Okay. So let me just back up just a bit,  
13 Dr. Pang. Have you had the opportunity to review the  
14 documents related to this case?

15 A Yes. Well, the ones you gave me.

16 Q What documents have you reviewed?

17 A P 9, 10, 11, 12, 13, 14.

18 Q Sorry. In -- not speaking as a the exhibit  
19 numbers, let me just ask you specifically: Have you  
20 reviewed the environmental assessment?

21 A Oh, yeah, yeah.

22 Q Have you reviewed any emergency exemptions?

23 A No. I mean, I glossed over them. I focused  
24 on the exhibits, but -- I mean, the scientific articles.

25 Q How did you choose what to review?

1           A     Actually, you passed them to me because I  
2 brought them to you as articles that made me concerned  
3 that the safety of this project has not been fully  
4 vetted, reviewed.

5           Q     Have you reviewed any public information  
6 that's been provided by either the DLNR or --

7           A     I've seen it. I've seen it. It's in the  
8 media and all. It's on the radio, yes.

9           Q     Can you describe this project as you  
10 understand it?

11          A     This project is to release a novel Wolbachia  
12 through male mosquitoes, because when the male carries  
13 that novel Wolbachia, all matings with the wild females  
14 will be sterile. In other words, it's a form of  
15 sterilization, but instead of irradiating the male or  
16 irradiating the female, you just introduce Wolbachia to  
17 the male.

18                   If it works as planned, it's wonderful, but I  
19 wonder if it's as effective as they think it will be,  
20 you know, if they're not overpromising and the side  
21 effects. If things go wrong and this Wolbachia somehow  
22 gets to the female mosquito, the wild female, then we  
23 have what the Brazilians call a sweep.

24           MS. STEED: Objection. Beyond the scope of  
25 the question.



1 JUDGE TONAKI: Sustained.

2 BY MR. VANDEVEER:

3 Q Thank you, Dr. Pang. If you could just limit  
4 your answers to my questions?

5 A Yeah.

6 Q So what concerns do you have with this  
7 project?

8 A That they're overpromising the efficacy and  
9 that there's side effects of horizontal transfer.

10 MR. FRANKEL: Objection, your Honor. Move to  
11 strike. Beyond the scope of his field.

12 JUDGE TONAKI: Overruled.

13 BY MR. VANDEVEER:

14 Q And when you say horizontal transmission, do  
15 you consider that a significant impact on the  
16 environment?

17 MR. FRANKEL: Objection, your Honor. Again  
18 goes beyond the scope of his field.

19 JUDGE TONAKI: Sustained.

20 BY MR. VANDEVEER:

21 Q Did you find any of the documents that you  
22 said that you reviewed, the environmental assessment,  
23 any public documents sufficiently explain the risks of  
24 this project?

25 MR. FRANKEL: Objection. It goes -- it's far

1 too broad, beyond the scope of his field.

2 JUDGE TONAKI: Sustained.

3 BY MR. VANDEVEER:

4 Q In the documents that you reviewed, did you  
5 find any explanation as to what horizontal transmission  
6 would occur?

7 MR. FRANKEL: Objection, your Honor. Goes  
8 beyond the scope of his --

9 JUDGE TONAKI: Overruled.

10 THE WITNESS: Sorry. Can you repeat the  
11 question? I was trying to listen to what he said.

12 BY MR. VANDEVEER:

13 Q Certainly. In the documents that you  
14 reviewed, did you find any explanation as to horizontal  
15 transmission as a result of this project?

16 A In the discussions, because I was asked to  
17 meet with the experts from their side, and they admitted  
18 horizontal transmission could occur, but it would occur  
19 on an evolutionary scale.

20 MR. FRANKEL: Objection. Non-responsive.

21 JUDGE TONAKI: Overruled.

22 MR. FRANKEL: Move to strike.

23 JUDGE TONAKI: Overruled.

24 BY MR. VANDEVEER:

25 Q Can you explain what horizontal transmission

1 is?

2 MR. FRANKEL: Objection, your Honor. This  
3 is -- horizontal transmission --

4 JUDGE TONAKI: Overruled.

5 MR. FRANKEL: Okay.

6 THE WITNESS: Horizontal transmission, the  
7 transmission of Wolbachia or any other germ can go  
8 vertically to the offspring and propagate that way,  
9 offspring to offspring, or it can go horizontally not to  
10 the offspring but to the -- let's say -- sexual partner  
11 or to your dog and spread out that way non-sexual, so  
12 there's vertical transmission and horizontal.

13 Horizontal just means going sideways. Once it  
14 goes, it has to get into the germ cells to then  
15 propagate, and that was the contention with their  
16 experts, that that would take a long time, evolutionary  
17 scale, which I found not to be true.

18 BY MR. VANDEVEER:

19 Q I'm sorry. You said -- repeat the last part.  
20 You found it not to be true?

21 A I asked them what evolutionary scale meant and  
22 they just rolled their eyes and said, "You know, long  
23 time." My public -- articles and publications --

24 MS. STEED: Objection. Hearsay and also goes  
25 beyond --

1 JUDGE TONAKI: Sustained.

2 BY MR. VANDEVEER:

3 Q Can you explain non-sexual horizontal  
4 transmission of Wolbachia bacteria?

5 A The best explanation I can give is a human  
6 analogy which is -- okay? So there's the diseases that  
7 when a couple have sex, it might go to the baby --  
8 that's vertical -- or it might go to the partner,  
9 regardless of what happens to the baby. So in the act  
10 of sex, it could go horizontally or to the baby or both.

11 Now, in the world of Wolbachia, there are  
12 exhibits that say that it could go both, either way.

13 Q And did you base this opinion on any peer  
14 reviewed articles?

15 A Yes, there was a peer reviewed article and  
16 there's cross reference as one of your exhibits. I  
17 think it's the one by Ahmad, Exhibit 1, 2, 3, 4 -- 14.  
18 He gives a cross reference where they say that in  
19 aphids, it may occur going horizontally in sex as well  
20 as vertically. The issue has always been. Once it goes  
21 horizontally into your general body cells, how quickly  
22 does it pass to your germ cells to start the cycle?

23 Q So that goes to my next question, Dr. Pang.  
24 If it gets in female mosquitoes, what are the risks  
25 you're concerned about?

1           A     My risk is that it will go quickly to the germ  
2 cells and start to propagate, and then we'll have a  
3 sweep of that Wolbachia into our mosquitoes.

4           Q     Can you describe what sweep means?

5           A     Sweep means that the Wolbachia takes over all  
6 your mosquitoes, a high percentage. Now, it might be a  
7 lower number but it's a high percentage, so it dominates  
8 your mosquitoes.

9                     That's what they use for dengue control. You  
10 want them to get Wolbachia because then they won't --  
11 the mosquitoes won't get dengue so badly.

12          Q     And why is it bad in the scenario you  
13 described? Why would this sweep be bad, in your  
14 opinion?

15          A     I think -- there's from both sides. I think  
16 I'd agree on this --

17                     MS. STEED: Objection. Calls for improper  
18 expert opinion.

19                     JUDGE TONAKI: Overruled.

20                     THE WITNESS: I think we agree with this  
21 because there was discussion amongst your own side when  
22 I went to -- you invited me to the counsel of experts.

23 BY MR. VANDEVEER:

24          Q     Sorry, Dr. Pang, if you can just address my  
25 question --

1 A Okay.

2 Q -- and explain? Thank you.

3 A One group thought no big deal if it gets out  
4 and sweeps. The other group thought it's a big deal  
5 because we did not know what Wolbachia will do in this  
6 mosquito population against both the birds and against  
7 other targets, like the bees.

8 MS. STEED: Objection, your Honor. Dr. Pang  
9 was certified to talk about the effect on humans, not  
10 other species.

11 JUDGE TONAKI: Sustained.

12 BY MR. VANDEVEER:

13 Q Is there a possibility, Dr. Pang, that this  
14 sweep that you described could impact humans?

15 A Indirectly if it impacts -- if it impacts the  
16 insects that we like, like bees, but not directly to  
17 humans.

18 MS. STEED: Objection. It goes beyond the  
19 scope of expert opinion. He can testify as to what  
20 impact Wolbachia would have on a human, but as to what  
21 effect it has on bee ecology and, therefore, a --

22 JUDGE TONAKI: Objection.

23 MS. STEED: Thank you.

24 JUDGE TONAKI: Just as to humans.

25

1 BY MR. VANDEVEER:

2 Q Dr. Pang, could you please describe any  
3 concerns you have regarding non-sexual horizontal  
4 transmission in Wolbachia? I'm sorry. Strike that  
5 question.

6 Can you explain what non-sexual horizontal  
7 transmission is?

8 A Non-sexual horizontal transmission was in some  
9 of the exhibits. One is that when animals feed that  
10 have Wolbachia, they kind of regurgitate or defecate,  
11 and when there's common feeding areas, they can pick it  
12 up, the feces. This was shown in the ant.

13 Now, I've assumed that -- what I know  
14 mosquitoes feed together for sugar meals --

15 MS. STEED: Objection. Outside the scope of  
16 expert opinion on assuming how -- telling us how  
17 mosquitoes feed.

18 JUDGE TONAKI: Sustained.

19 MR. VANDEVEER: Your Honor, he's explaining a  
20 disease vector's behavior. I was under the impression  
21 that was allowed as to ants and other animals.

22 Understood.

23 BY MR. VANDEVEER:

24 Q Okay. Dr. Pang, do you have any other  
25 concerns regarding non-sexual Wolbachia transmission?

1 A Yes.

2 Q What are they?

3 A It --

4 Q You can continue until he makes an objection.

5 MR. FRANKEL: Objection, your Honor. Going  
6 beyond the scope.

7 JUDGE TONAKI: Overruled.

8 THE WITNESS: Common feeding sites and serial  
9 predation.

10 BY MR. VANDEVEER:

11 Q And can you explain that?

12 A Common feeding sites is when things with  
13 Wolbachia feed at areas where things don't have  
14 Wolbachia. It could be interspecies or intraspecies.

15 MS. STEED: Your Honor, I move to strike that  
16 testimony on the basis that -- as the previous objection  
17 was just sustained in terms of he does not have  
18 expertise about how other species feed.

19 JUDGE TONAKI: Sustained.

20 MS. STEED: Thank you, your Honor.

21 BY MR. VANDEVEER:

22 Q You can continue with the other concerns you  
23 have besides what other species --

24 A The other concern was serial predation. It's  
25 published. It's one of the exhibits that when a



1 predator insect --

2 MR. FRANKEL: Objection, your Honor. Going  
3 beyond -- again he's talking about ecology of other  
4 species.

5 JUDGE TONAKI: Overruled.

6 THE WITNESS: That when a predator bites a  
7 Wolbachia prey, the infection will go to its mouth parts  
8 for the next 48 to five -- 48 hours to five days. It  
9 will then infect other prey that it bites, and so it's  
10 going prey to prey through the dirty mouth parts of the  
11 predator. It's called the dirty needle scenario.

12 BY MR. VANDEVEER:

13 Q How are the two different types of horizontal  
14 transmission that you've identified, sexual and  
15 non-sexual, different from what you've seen explained in  
16 the final impact environmental assessment?

17 A They seem to be ignored because of the  
18 argument that if it does occur, it will take such a long  
19 time to manifest in the germ cells and carry on the  
20 cycle.

21 Q And you think that's not the case?

22 A Yes, by the articles that showed it.

23 Q And do you recall any of the articles that you  
24 rely upon?

25 A One is your exhibit. It's by Ahmad. And they

1 showed that when there was serial predation, not only  
2 did it infect -- now, this was a model of white fly fed  
3 on by wasp. In the white fly --

4 MS. STEED: Objection. This is as to white  
5 fly, not mosquitoes. Again it's relating to the  
6 ecology --

7 JUDGE TONAKI: Sustained.

8 MS. STEED: Thank you, your Honor.

9 BY MR. VANDEVEER:

10 Q Dr. Pang, is it possible that a Wolbachia  
11 sweep could cause increased virus transmission in  
12 humans?

13 A It's possible. West Nile Virus.

14 Q And can you explain how that would happen?

15 A We don't know. We don't know how diseases are  
16 up-regulated and down-regulated. All we know is how  
17 they might sweep.

18 Q Can you explain what up and down regulation  
19 is?

20 A It means that the -- all things being  
21 considered, a vector with Wolbachia has a whole lot more  
22 virus, not only in its body parts, but its saliva when  
23 it bites humans, so the chance of increasing  
24 transmission is greater, and sometimes it can show this  
25 in humans, that the Wolbacia virus might actually have

1 that. They haven't gone that far yet. They're just  
2 looking biologically.

3 Q And biologically speaking, does up and  
4 down-regulating affect other species?

5 MR. FRANKEL: Objection.

6 JUDGE TONAKI: Overruled.

7 THE WITNESS: Sorry. Does up and down  
8 regulation affect other species?

9 BY MR. VANDEVEER:

10 Q Yes.

11 A I imagine if -- if the mosquitoes -- I don't  
12 quite understand the question.

13 Q So if -- if up and down regulation, as you  
14 mentioned, is possible with the Wolbachia sweep, can  
15 up-regulate, as I understand your answer --

16 A Oh, okay.

17 Q Sorry.

18 A I know what you mean.

19 Q Okay.

20 A This was covered by one of the exhibits. When  
21 Wolbachia appeared in certain other species, not humans,  
22 other species, they worried about fecundity, fertility,  
23 they worried about survivability, and they worried about  
24 competitiveness against the wild strain. This is your  
25 exhibit, the Ahmad paper.

1 Q And would those -- and would those -- would  
2 the impacts from up and down regulation of a species,  
3 would that also potentially have a negative impact?

4 A Oh, yeah, especially if --

5 MS. STEED: Objection.

6 THE WITNESS: -- it up-regulates --

7 MS. STEED: Vague.

8 JUDGE TONAKI: Overruled.

9 BY MR. VANDEVEER:

10 Q Continue, if you would?

11 A If it up -- you know, if it up-regulates, it's  
12 more dangerous to a bad species, a disease vector,  
13 that's good from our point of view. If it up-regulates  
14 something we like -- I can't say bees but something  
15 useful, that's bad from our point of view. And it's not  
16 just vector. Don't forget, Wolbachia goes into a basic  
17 person --

18 MS. STEED: Objection.

19 THE WITNESS: -- or persons.

20 MS. STEED: This witness isn't certified to  
21 discuss vectors.

22 THE WITNESS: Well, disease --

23 JUDGE TONAKI: (inaudible)

24 BY MR. VANDEVEER:

25 Q So I'd like you to explain, if you would,

1 up-regulating and down-regulating again. I'm still not  
2 quite understanding exactly what that means, so if you  
3 could take it --

4 My question is starting with a sweep, which  
5 you've explained has occurred where the Wolbachia has  
6 gone into other wild populations, if you can just take  
7 us through the process of what up-regulating and  
8 down-regulating -- how that would happen and explain  
9 that more?

10 A Okay. When you sweep a population, you  
11 introduce Wolbachia to of all of a population, let's say  
12 *Aedes aegypti*, which carries dengue. I actually don't  
13 know how it affects the survivability of the mosquito,  
14 but that mosquito with Wolbachia carries less dengue so  
15 you've down-regulated dengue in that vector.

16 It would be nice if mosquitoes die off so --  
17 but they didn't, but at least they carried less dengue,  
18 so you down-regulated dengue. The dengue has less risk  
19 of transmitting it to humans, but the worry something is  
20 we don't know about West Nile in our vector that we have  
21 here, *Culex*, that you might up-regulate it.

22 Q And what are the risks associated with -- if  
23 it might be up-regulated?

24 A Well, you have more West Nile Virus, and, you  
25 know, Hawaii is pretty free of West Nile Virus for now.

1 We don't quite understand why.

2 Q Did the same happen for malaria?

3 A No, I think -- I think when it gets into human  
4 malaria -- human malaria, I don't think it up-regulates  
5 human malaria, but there's a prop -- it's been published  
6 in one of your articles that it might up-regulate avian  
7 malaria in your culex.

8 Q Did you see a discussion of this in your --

9 MS. STEED: Objection.

10 JUDGE TONAKI: What did --

11 MS. STEED: Permission to strike the last  
12 portion of that testimony on the basis that it asks --  
13 asks the witness to guess.

14 JUDGE TONAKI: Overruled.

15 BY MR. VANDEVEER:

16 Q Did you see a discussion of this up-regulation  
17 possibility above regulation addressed in the final  
18 environmental assessment?

19 A It was quite marginalized because every time I  
20 talked about it, they said, "If it is horizontal  
21 transfer it will never take."

22 MS. STEED: Objection. Hearsay.

23 JUDGE TONAKI: Yeah, don't say what other  
24 people have said, just what your testimony is.

25 THE WITNESS: It's only by -- only by text

1 they mention it, but they did not want to talk further.

2 BY MR. VANDEVEER:

3 Q So in your opinion, it did not appear as an  
4 in-depth study?

5 A Correct.

6 Q It didn't address the concern?

7 A Right.

8 (inaudible whispering)

9 Q Can you explain, based on your scientific  
10 knowledge, about the increase of horizontal transmission  
11 when vertical transmission is suppressed?

12 A Yes. That was again one of your -- what do  
13 you call that? -- exhibits. I believe it's the  
14 Singapore consortium.

15 They did a field study and they saw -- they  
16 saw female mosquitoes with Wolbachia. They were doing  
17 an IIT project, just like you plan to do Upcountry for  
18 the birds. They're trying to suppress all the  
19 mosquitoes. And there was not supposed to be infected  
20 females with Wolbachia, let alone larva that they were  
21 transmitting, but they saw that.

22 They didn't call it horizontal transmission.  
23 They said maybe it's the lab. There was a lab error,  
24 okay? And it's kind of worrisome, but then it went  
25 away, and they said, "We think it went away because wild

1 mosquitoes came into the area and out-competed them."

2           And they were very worried that if you ever  
3 pulled off IIT and controlled all the wild mosquitoes,  
4 and this horizontal -- they thought it was lab  
5 release -- got out of control, that you'd have no  
6 control over those mosquitoes.

7           So they added to it irradiated -- all the  
8 mosquitoes so that if the wild mosquitoes were really  
9 controlled, like IID promised, you still wouldn't have  
10 this population expand because you added radiation to  
11 it.

12           They also said that if that got out of  
13 control, they'll bring in another Wolbachia to shut down  
14 that Wolbachia. This was the Singapore consortium,  
15 Exhibit -- the last Exhibit, 14.

16           MR. VANDEVEER: Your Honor, I'd like to  
17 introduce that exhibit for the Plaintiffs' recollection,  
18 if that's okay. I actually believe it's one of  
19 Defendants' exhibits. Just a moment.

20           MS. STEED: Your Honor, we'd like to clarify  
21 whether's he's introducing it into evidence or showing  
22 it to the witness?

23           MR. VANDEVEER: I'm showing it to the witness,  
24 your Honor.

25           THE WITNESS: Sorry. I didn't catch that.



1 What was -- what happened?

2 BY MR. VANDEVEER:

3 Q I'm going to show you an exhibit --

4 A Oh.

5 Q -- that you mentioned, Doctor.

6 A 14.

7 MR. VANDEVEER: Okay. Actually, this is the  
8 Plaintiffs' exhibit, your Honor. If I may, I'm just  
9 going to grab this cord here so I -- actually I'm not  
10 going to show it on the screen. Sorry. I'm going to  
11 show it to -- just a moment.

12 May I approach?

13 JUDGE TONAKI: What number is it?

14 MR. VANDEVEER: Plaintiffs' Exhibit 14.

15 THE WITNESS: Okay.

16 Sorry. Was I supposed to do something?

17 BY MR. VANDEVEER:

18 Q No, just making sure you've familiarized with  
19 that exhibit.

20 A Yes.

21 Q And can you explain what that exhibit is?

22 A This is the field trials done over the  
23 two-year time course in different phases in Singapore  
24 where they tried IIT suppression model on a different  
25 mosquito, *Aedes aegypti*, for the sake of dengue control,

1 not to compete with the sweep model, but to replace it  
2 in case people complained of too many mosquitoes with  
3 the sweep model. They would try to eradicate all  
4 mosquitoes altogether as an alternative approach.

5 Q And do you consider this, this article, this  
6 paper an authority?

7 A First of all, it's -- it's what they call  
8 preprint, so we publish in preprint. It's not formally  
9 reviewed by reviewers, but I do like preprint because  
10 you can read the comments. The comments come into this,  
11 so it is being recognized when you publish. These guys  
12 are quite good. I know this group. They publish very  
13 well on the Wolbachia topic.

14 Q When was this paper published, Doctor?

15 A Oh, boy, I can't remember. It's 2021, but the  
16 work was started in 2016. If I may give a summary of  
17 all their big chunk of studies? It gets a little  
18 confusing what study was done when. 2021, it was  
19 published, about four years of work.

20 Q And you provided a summary earlier. Are there  
21 any particular parts of that study that informed your  
22 opinion?

23 A The -- when you read all these different  
24 projects, first of all, the effect on IIT -- granted, it  
25 was with a different mosquito. It was pretty variable.

1 And at first it was low and then it was high. In some  
2 places it was high and very different.

3           If they just gave me a summary average, that's  
4 misleading, and they know that, so then they try to  
5 explain why some areas, they couldn't seem to control  
6 it. They said they didn't distribute it well, not to  
7 all the high-rise pockets of Singapore, but they also  
8 said there was drifting mosquitoes, all type coming in,  
9 deluding the effect of their -- of their IIT. But then  
10 they said, "We saw females carrying Wolbachia and larva  
11 with Wolbachia," and they thought it was escaped from  
12 the lab. Nonetheless, whatever it was, horizontal or  
13 escaped from the lab, they were very worried about these  
14 things taking off.

15           So then they said that somehow it controlled  
16 itself. These pockets disappeared, and they attributed  
17 that to wild type sweeping and out-competing it. Then  
18 they realized that if they ever did a good job of IIT  
19 and wiped out all the wild type, this little pocket  
20 would take off because there would be no competition, so  
21 then they added on irradiation sterility.

22           Q     So Dr. Pang, just to take a step back, when  
23 you say IIT, can you explain what that is?

24           A     Yeah, it's the same thing as -- I used to call  
25 CI, cytoplasmic incompatibility. IIT stands for Insect

1 Incompatibility Technique. So when you have one  
2 mosquito --

3 MS. STEED: Objection. I'm fine with him  
4 identifying what it stands for, but this is not a  
5 vector -- a resulted vector disease, so we do not  
6 believe that he is qualified as an expert to explain how  
7 IIT works.

8 JUDGE TONAKI: Overruled.

9 THE WITNESS: So when you -- when you have one  
10 of the mating pair, usually the male, male, carrying a  
11 novel Wolbachia or the female doesn't even have  
12 Wolbachia, the mating will be sterile. Now maybe not  
13 100 percent, but the mating will be sterile, so  
14 that's -- they're incompatible. We don't fully know  
15 why, but that's it, the cytoplasm somehow is sterile and  
16 the offspring are sterile, but it might not be  
17 100 percent.

18 BY MR. VANDEVEER:

19 Q And how does this -- what you just described,  
20 how does this relate to your concern about the project  
21 on Maui?

22 A It's a little bit roundabout because if you  
23 did wipe out all the wild mosquitoes, all the mosquitoes  
24 and you have left a remnant of horizontal transfer,  
25 there is nothing to compete with horizontal transfer.

1 It will then go with no competition.

2 There is a mathematical model for this in one  
3 of the exhibits, Exhibit 12, and they actually gave the  
4 formula, and I wonder if that formula is extensive  
5 enough, so I would like to discuss with them if they've  
6 considered all possibilities.

7 Q Okay. Doctor, you explained mathematical  
8 modeling. Can you explain --

9 JUDGE TONAKI: We have been going about an  
10 hour, so why don't we take a ten-minute break?

11 MR. VANDEVEER: Yes, your Honor.

12 JUDGE TONAKI: The Court will stand in recess.

13 THE BAILIFF: All rise. The Court is in  
14 recess.

15 (Recess was taken)

16 THE BAILIFF: All rise. This Court is  
17 reconvened. You may be seated.

18 JUDGE TONAKI: Okay. We're back on the record  
19 in Hawaii Unites versus DLNR.

20 Dr. Pang is still on the stand.

21 Mr. Vandever, you may continue.

22 MR. VANDEVEER: Thank you, your Honor. May I  
23 approach the witness --

24 JUDGE TONAKI: Yes.

25 MR. VANDEVEER: -- and give him this, the same

1 Exhibit P14?

2 JUDGE TONAKI: Yes.

3 BY MR. VANDEVEER:

4 Q So Dr. Pang, in this article that you've  
5 referenced, I believe you reference it shorthand as  
6 Singapore study.

7 What -- what year was it published?

8 A 2021.

9 Q And did they discuss sterility in this -- in  
10 this article?

11 A Well, the IIT project is a sterility project  
12 so -- and then they added on radiation to guard against  
13 escape -- either escaped Wolbachia into the female line,  
14 but I always wondered if it was horizontal as well.

15 MR. FRANKEL: Your Honor, if the Plaintiff  
16 would like the witness to talk about the -- about the  
17 exhibit, they should get it into evidence. Other than  
18 that, he should not be referencing the contents. Thank  
19 you.

20 JUDGE TONAKI: Agreed. Mr. Vandever, he's  
21 reading from an article that hasn't been introduced into  
22 evidence.

23 MR. VANDEVEER: (inaudible)

24 JUDGE TONAKI: I think you've already had some  
25 foundational questions.

1 MR. VANDEVEER: Yes, your Honor.

2 JUDGE TONAKI: Are you moving this into  
3 evidence?

4 MR. VANDEVEER: Yes, your Honor. At this time  
5 Plaintiffs would seek to enter this into evidence under  
6 the (inaudible) treatise exception to the hearsay rule.

7 MS. STEED: Your Honor, we object for lack of  
8 foundation.

9 JUDGE TONAKI: Over the objection of the  
10 Defendant, Plaintiffs' 14 will be received in evidence.

11 (Plaintiffs' Exhibit 14 was received  
12 into evidence)

13 MR. VANDEVEER: Thank you, your Honor.

14 BY MR. VANDEVEER:

15 Q So Dr. Pang, can you -- can you discuss  
16 sterility in the context of the incompatibility  
17 technique?

18 A Not much is known about the biologic  
19 mechanism, but just the results. When you have a mating  
20 usually where the Wolbachiaes don't match well, the  
21 result is often sterile. And they choose that system,  
22 the Wolbachia, that mosquito, that way of inoculating  
23 the experimental mosquito to try to either be sterile or  
24 not.

25 Q And are the mosquitoes sterile after the

1 inoculation or are they still able to breed?

2 A When they mate, the offspring is sterile. It  
3 might not be 100 percent and nobody knows quite how long  
4 that will last for.

5 Q Can you explain that?

6 A First of all, sometimes when you mate these  
7 mosquitoes take Wolbachia to bring out --

8 MS. STEED: Your Honor?

9 THE WITNESS: -- IID.

10 MS. STEED: The State objects to this on the  
11 grounds that this doesn't have to do with disease  
12 vector, this has to do with how Wolbachia affects an  
13 actual mosquito's reproductive organs.

14 JUDGE TONAKI: Overruled.

15 THE WITNESS: Sometimes when you mate these  
16 things, the fitness of the male -- the male mosquito is  
17 not that good. It's very short-lived. So sometimes  
18 it's a little tricky introducing these things where  
19 they, in nature, don't belong.

20 BY MR. VANDEVEER:

21 Q So you have the fitness?

22 A You have the sterility, which might not be  
23 100 percent, and so you have all these different things  
24 to juggle, and the issue was, did you cause horizontal  
25 transfer by the mating itself?



1 Q So --

2 MS. STEED: Objection. That was not the  
3 question that was asked.

4 JUDGE TONAKI: I don't recall. What was the  
5 question, Mr. Vandever?

6 MR. VANDEVEER: I asked Dr. Pang to explain  
7 100 percent sterility and what he meant by it's not  
8 always 100 percent sterility.

9 JUDGE TONAKI: Okay. Answer that question,  
10 Dr. Pang.

11 THE WITNESS: It's a straight answer that  
12 these things didn't block all sterility, but there's  
13 a -- quite another answer that you made all the  
14 offspring sterile, but did you introduce through  
15 horizontal venereally that the offspring of offspring  
16 would start degenerating.

17 BY MR. VANDEVEER:

18 Q And what would happen if, say, one of those  
19 offspring mated with a compatible female?

20 A Oh, then you start the sweep. This was  
21 covered by the equations on page 15, the graph on page 9  
22 of Exhibit 12, the Ahmad paper, and he actually explains  
23 -- well, tries to -- the balance between horizontal  
24 transfer versus sterility, CI. Graph 6B.

25 MR. FRANKEL: Objection. Move to strike.

1 Hearsay. Referring to an exhibit not in evidence.

2 JUDGE TONAKI: (inaudible)

3 BY MR. VANDEVEER:

4 Q Why is that important?

5 A That's important because now you can see how  
6 fast and how much horizontal transfer could counteract  
7 the CI sterility effect, especially if you needed wild  
8 type to -- was more fit to suppress the horizontal  
9 transfer, you've eradicated the wild type. It's a  
10 double-edged sword.

11 Q Can you see the possibility for this on the  
12 proposed project on Maui?

13 A Yes, because it hasn't been shown that this  
14 does not occur in this mosquito with this Wolbachia, but  
15 the paper, 9, 10, 11, 12, showed that this occurred with  
16 the white fly.

17 MS. STEED: Objection.

18 MR. VANDEVEER: I think I can address this,  
19 your Honor.

20 JUDGE TONAKI: Sustained.

21 MR. VANDEVEER: Thank you, your Honor.

22 At this time Plaintiffs would like to show  
23 Dr. Pang Plaintiffs' Exhibit 12.

24 If I may approach?

25 JUDGE TONAKI: Yes.

1 MR. VANDEVEER: Thank you, your Honor.

2 (inaudible whispering)

3 BY MR. VANDEVEER:

4 Q Dr. Pang, do you recognize this exhibit?

5 A Yes.

6 Q Are you familiar with the contents of it?

7 A Very familiar.

8 Q Can you describe what it is?

9 A This is basically a paper, kind of a lab study  
10 where they do is serial predation, and they showed that  
11 the mechanism is serial predation, that it infects the  
12 white fly -- the predator is the wasp, the target is the  
13 wild fly.

14 So a clean wasp gets it from the first white  
15 fly. Then he goes bites another white fly that doesn't  
16 die. Then they show you that that white fly that gets  
17 infected carries and expresses --

18 MS. STEED: Your Honor, we object to this line  
19 of question and answer right now because the question  
20 calls for improper expert opinion. This article has not  
21 even been entered into evidence and, moreover, it's not  
22 even about mosquitoes.

23 JUDGE TONAKI: Again --

24 MS. STEED: So it's of no assistance to the  
25 judge.

1 JUDGE TONAKI: -- the witness is reading off a  
2 document that is not entered into evidence.

3 MR. VANDEVEER: Okay.

4 JUDGE TONAKI: Sustained.

5 BY MR. VANDEVEER:

6 Q In your opinion, is this a reliable article,  
7 Dr. Pang?

8 A Yes.

9 MR. VANDEVEER: At this time, your Honor,  
10 Plaintiffs would move to enter Exhibit P12 into evidence  
11 as an article regarding phoretic vectors for Dr. Pang.

12 MS. STEED: Your Honor, the State objects.  
13 The use of this treatise is improper because the witness  
14 simply saying it's reliable does not meet the standard  
15 under the federal -- under the HRE.

16 MR. FRANKEL: Moreover, your Honor, it's  
17 beyond the scope of his field of expertise.

18 JUDGE TONAKI: Well, I think I need to hear  
19 more foundation, where this article is from.

20 Did the doctor rely on it for his opinion --

21 MR. VANDEVEER: Yes, your Honor.

22 JUDGE TONAKI: -- Mr. Vandever?

23 BY MR. VANDEVEER:

24 Q So Dr. Pang, did you rely on this article for  
25 your expert opinion today?

1 A Yes.

2 Q And why did you rely on that article?

3 A Well, I -- the source is quite good. They  
4 publish in Pathogens. That's where we publish. It has  
5 a high index score. And you have researchers from  
6 Southern China, South Africa and Cambridge University,  
7 and it's quite rigorous and quite quantitative and they  
8 address exactly our concerns and show the background in  
9 another system, not mosquitoes, that we should think  
10 about this in our mosquitoes.

11 Q And can you tell us again what the concerns  
12 are?

13 A The concerns are that horizontal transfer by  
14 serial predation manifests very quickly in the -- in the  
15 target, the white fly, on first generation, and that it  
16 passes through the fifth generation with high  
17 competency, 85 to 90 percent, so it's a -- it's a high  
18 thing passing quickly and early and it's stable.

19 Then, based on that, they go on and model how  
20 this horizontal transfer can botch up anybody, any  
21 general -- the model's general to CI -- to a CI effort.

22 Q What year was this article published?

23 MS. STEED: Move to strike the testimony.

24 Again, your Honor, as for foundation, this is testifying  
25 as to what the article says.

1 JUDGE TONAKI: Sustained.

2 MS. STEED: Thank you.

3 BY MR. VANDEVEER:

4 Q Were there statements in this article that  
5 were of use to you in forming your opinion, Dr. Pang?

6 A Sorry. I didn't -- what?

7 Q Were there statements -- I should -- sorry.  
8 Were there statements or models or graphs in this  
9 particular exhibit that were important to your opinion?

10 A Yes.

11 Q Did you rely on those?

12 A Yes.

13 Q What year was this article published?

14 A 2015.

15 MR. VANDEVEER: At this time, your Honor, we  
16 would like to enter this into Exhibit -- Exhibit P12  
17 into evidence.

18 MS. STEED: Your Honor, the State objects to  
19 this exhibit being entered into evidence on the basis  
20 that the witness has already testified that this is  
21 about a different system, which is irrelevant, because a  
22 different system is not at issue in this case.

23 MR. VANDEVEER: If I may, your Honor?

24 JUDGE TONAKI: Yes.

25 MR. VANDEVEER: So as Dr. Pang has stated,

1 this paper not only concerns vectors, but also the  
2 general spread that is a concern among scientists and  
3 supports his expert opinion as it pertains to this  
4 project.

5 JUDGE TONAKI: Over the objection of the  
6 Defendant, Plaintiffs' Exhibit 12 will be received in  
7 evidence.

8 (Plaintiffs' Exhibit 12 was received  
9 into evidence)

10 BY MR. VANDEVEER:

11 Q So Dr. Pang, can an Incompatible Insect  
12 Technique, IIT, what we described earlier, male mate  
13 with a compatible female mosquito?

14 A You mean that has the same Wolbachia --  
15 compatible Wolbachia?

16 Q That is correct.

17 A Oh, yes. Yes.

18 Q Can an IIT male mate with a female carrying  
19 the same Wolbachia strain; that is a female accidentally  
20 released into the wild?

21 A Yes. That's the biggest fear.

22 Q Why is that the biggest fear?

23 A Because then you have this thing generating in  
24 the mosquito line and it's a sweep. You swept the whole  
25 thing with all the new Wolbachia, which was never

1 intended to do.

2 Q And what would that -- what are the concerns  
3 there? What would the impacts be?

4 A Well, for me, the impact would be that it can  
5 occur quickly, not eons, and for me, we don't -- we  
6 don't know how this will affect all the other arthropod  
7 targets. You know, some are favorable to us, some are  
8 not.

9 Q We humans --

10 MS. STEED: Objection.

11 MR. VANDEVEER: Sorry.

12 MS. STEED: Objection. This is outside the  
13 scope of the expert's qualifications regarding other  
14 arthropods.

15 JUDGE TONAKI: Overruled.

16 BY MR. VANDEVEER:

17 Q Including humans?

18 A Yeah, not -- not -- it doesn't affect humans  
19 directly, but we like some arthropods and we don't like  
20 others.

21 MS. STEED: Again, objection, your Honor.  
22 This line of questioning has already been asked  
23 previously as to whether or not Wolbachia directly  
24 affects humans. The witness has already testified as to  
25 it's indirect, and the Court has already sustained an



1 objection -- or a motion to strike on having testimony  
2 admitted regarding how there's indirect affect on human  
3 beings from Wolbachia and other arthropods.

4 JUDGE TONAKI: Overruled. Go ahead.

5 THE WITNESS: There is a little more direct  
6 effect to -- not in Hawaii, but other diseases.

7 Not only does it affect the arthropods, but it  
8 affects other parasites of humans, filariasis, worms,  
9 river blindness and dog heartworm, which is in Hawaii.

10 When you mess with those worms' Wolbachia, you  
11 really have no idea what those worms are going to do.  
12 So it's not just arthropods, it's some worms that affect  
13 humans. Dog heartworm is one in Hawaii.

14 BY MR. VANDEVEER:

15 Q So in this exhibit, this article, you  
16 mentioned that there were statements that informed your  
17 opinion?

18 A Yes.

19 Q I believe you also referenced a graph.

20 A Yes.

21 Q Can you describe that?

22 A Yes, the graph is on page 9. It's actually  
23 graph B.

24 MR. VANDEVEER: Your Honor, is it okay if I  
25 publish this? I'm just going to plug my computer in.

1 JUDGE TONAKI: Which one is that?  
2 MR. VANDEVEER: It's P12.  
3 JUDGE TONAKI: Yes.  
4 MR. VANDEVEER: Thank you.  
5 I'm hoping this doesn't broadcast whatever  
6 game's on right now.  
7 It looks like it's working on my end. Let's  
8 see here. Source.  
9 I apologize, your Honor.  
10 UNIDENTIFIED SPEAKER: Did (inaudible)  
11 MR. VANDEVEER: Yeah, it's trying to on my  
12 end. Let's see.  
13 Well, okay. I'm not sure if the remote's  
14 working. I can see my -- that's my laptop but it's not  
15 showing what's on my screen.  
16 It's on my screen.  
17 UNIDENTIFIED SPEAKER: (inaudible)  
18 MR. VANDEVEER: It's already on.  
19 Oh, wait. It's trying to --  
20 Oh, yeah, it's trying to go to a different  
21 screen. Let's see if this works.  
22 Let's see if this works.  
23 UNIDENTIFIED SPEAKER: (inaudible)  
24 MR. VANDEVEER: It's turned on.  
25 UNIDENTIFIED SPEAKER: (inaudible)

1 MR. VANDEVEER: I'm trying -- yeah, yeah.  
2 UNIDENTIFIED SPEAKER: (inaudible)  
3 MR. VANDEVEER: Yeah, please.  
4 UNIDENTIFIED SPEAKER: Sorry.  
5 (inaudible conversation)  
6 MR. VANDEVEER: I think you have to split --  
7 there you go. There you go. You're pulling it in.  
8 Perfect. So sorry, I was going that way. You were  
9 going that way. Thank you very much.  
10 UNIDENTIFIED SPEAKER: You're welcome.  
11 MR. VANDEVEER: Thank you, your Honor.  
12 Oh, dear, what just happened?  
13 You may just need to stand here.  
14 Okay. It may be trying to do it again. A  
15 little touchy here. Let's see if I can get this to be  
16 the whole screen.  
17 Nope.  
18 I think it might be this.  
19 UNIDENTIFIED SPEAKER: (inaudible)  
20 MR. VANDEVEER: It's pretty hard. This is  
21 pretty hard.  
22 UNIDENTIFIED SPEAKER: (inaudible)  
23 MR. VANDEVEER: Okay. There it is. Let's see  
24 if this works.  
25 Very touchy.

1           Hey, Judge, I'm just going to continue, if  
2 it's okay, and I'll try to work out the technical  
3 issues --

4           JUDGE TONAKI: Well, I think counsel -- we all  
5 have the exhibit so --

6           MR. VANDEVEER: Okay.

7           MS. STEED: Yeah.

8           JUDGE TONAKI: -- was that for the benefit of  
9 the people in the gallery?

10          MR. VANDEVEER: Yes, your Honor.

11          JUDGE TONAKI: Yeah. I'm sorry, ladies and  
12 gentlemen, they're having some technical -- we'll try  
13 and iron that out when we break.

14 BY MR. VANDEVEER:

15          Q     Okay. Continue if you would, Dr. Pang,  
16 describing the graph -- I believe you said was --

17          A     Okay.

18          Q     -- on page --

19          A     The graph is on page 9. The point is the  
20 graph B, they are pitting the spread of your novel  
21 Wolbachia through horizontal transfer against the  
22 shutdown of it, cytoplasm -- the IIT method.

23                 And they said that when there's enough  
24 horizontal transfer, it will sweep your population,  
25 and -- but where is the sweep here?

1                   But you see that those you have at 6B, it  
2 spikes up around -- oh, I don't know -- 50 generations.  
3 What's a generation? For mosquitoes, maybe 10 days.  
4 For his white fly, it's 30 days. Well, what's at 50?  
5 Why's it at 100 percent, a sweep?

6                   Oh, isn't there something bad at maybe  
7 20 percent? Yeah, maybe there is, because if this  
8 transmits malaria more easily -- avian malaria more  
9 easily, there's a cross reference of this, yeah, in the  
10 West Nile paper, then qualitatively you've increased the  
11 transmission to your birds you're trying to protect.  
12 You're not at 100 percent, but 20 percent.

13                   MS. STEED: Objection. As already  
14 established, the witness has not been qualified to talk  
15 about the transmission of disease to birds.

16                   JUDGE TONAKI: Sustained.

17                   MS. STEED: Thank you.

18 BY MR. VANDEVEER:

19                   Q     Dr. Pang, did you see the concerns that are  
20 expressed in this paper addressed anywhere in the final  
21 impact statement? I'm sorry, the final environmental  
22 assessment.

23                   A     Other than the fact they said horizontal  
24 transmission either doesn't occur or if it occurs so  
25 slowly, we just keep it out of the model.

1 Q So I want to just take a moment and back up  
2 here. When you say generations in mosquitoes are  
3 10 days, can you talk about what that means?

4 A Egg the egg.

5 Q Sorry. Say that again.

6 A Egg to egg. The cycle of egg to egg.

7 Q Okay. And is that considered an evolutionary  
8 change?

9 A Well, not to me. I mean I thought  
10 evolutionary -- it's vague what they mean by that.

11 MS. STEED: Objection. The witness is not  
12 qualified to talk about evolutionary changes in  
13 mosquitoes.

14 JUDGE TONAKI: Overruled.

15 THE WITNESS: Actually, I didn't know what the  
16 time scale -- they shouldn't use the term. Just tell me  
17 how long.

18 BY MR. VANDEVEER:

19 Q So what is the concern again that is expressed  
20 in this paper as it pertains to generations and  
21 breeding?

22 A That this spread could occur sustainably  
23 because they carried it through five generations and  
24 more rapidly than you think. And the math model is on  
25 page 15, and these guys modified the math model to

1 include horizontal transfer, which I was trying to do,  
2 but I'm not sure they included some things I would like  
3 to include, so I'd like to talk to them.

4 Q So in the study, the Maui study --

5 A Yes.

6 Q -- the subject of this lawsuit, did they  
7 include the horizontal transfer that you just mentioned?

8 A They only mentioned that it rarely occurs, and  
9 if it does, it's so slow, we're not going to worry about  
10 it.

11 Q And does that concern you, Dr. Pang?

12 A Yes.

13 Q Why?

14 A Because this shows that it is -- it can be  
15 quite strong and it can appear quickly, and the  
16 appearance of it is stable across five generations.  
17 It's not going to go away. Your only hope is that it's  
18 competed by the wild type, which you just eradicated or  
19 are trying to eradicate.

20 Q Dr. Pang, from your reading of the final  
21 environmental impact -- environmental assessment, were  
22 you able to tell from the information available how many  
23 mosquitoes will be released as a part of this project?

24 A Yeah, Tina keeps pointing this out to me.

25 MS. STEED: Objection. That's hearsay.

1     Additionally, Ms. Lia has not been qualified as an  
2     expert and it's outside the scope.

3                   JUDGE TONAKI:  Overruled.

4                   THE WITNESS:  Truthfully, it's so many I can't  
5     remember if it's per day, per week or per month, but  
6     it's on the order of three quarter million every --

7     BY MR. VANDEVEER:

8           Q     Ten days?

9           A     Every week.

10           MS. STEED:  Objection.  The witness can't  
11     remember the answer.  He's guessing.

12           JUDGE TONAKI:  Well, the Court will note that.  
13     I don't know if Dr. Pang is guessing at that or do you  
14     want to refresh his recollection?

15                   This is off the environmental assessment,  
16     right?

17           MR. VANDEVEER:  That's correct, your Honor.

18                   So at this time -- actually, I'll get to that.  
19     Thank you, your Honor.

20     BY MR. VANDEVEER:

21           Q     So Dr. Pang, based on your reading of the  
22     final environmental assessment, does the chances for  
23     this occurring, what we just described in this article,  
24     go up or down with the number of mosquitoes that are  
25     being introduced?



1 A It goes up.

2 Q I'm sorry. Go ahead.

3 A It goes up. The more of this IIT you use, the  
4 more chance of horizontal transfer.

5 Q And was that addressed in the final  
6 environmental assessment?

7 A No, because they don't want to talk about  
8 horizontal transfer.

9 Q When you say they don't want to talk about it,  
10 can you explain that?

11 A They said that it doesn't occur or that if it  
12 did occur, it's so rare or it's so slow that the birds  
13 would have been dead of their own accord before it  
14 really has an effect, and then it's -- I just want to  
15 see if it's in the math model and I believe it is  
16 significant in the math model.

17 Q And so you're saying this exhibit contradicts  
18 that?

19 A I haven't seen their math model. They don't  
20 show me a math model.

21 Q Oh, I'm sorry.

22 A They show results but I don't know what went  
23 into it.

24 Q So when you say they did not show a math  
25 model, you're talking about the applicant for the IIT

1 project?

2 A Yes, I was asked to meet their experts and we  
3 did meet. You can show me the result of the math model,  
4 but I don't know what it means until I know what went  
5 into it.

6 Q Is there anything else from this particular  
7 study, this paper that you relied upon for your expert  
8 opinion, that you can point to as something that informs  
9 your thinking on this project?

10 A Well, initially I was looking for a mechanism  
11 of horizontal transfer, you know, the common feeding,  
12 then the serial predation, and I said, "Okay, serial  
13 predation," but I did not realize they would dismiss so  
14 easily the effects of horizontal transfer.

15 Q They being?

16 A Their experts that they sent me to talk to.

17 Q For the project on Maui?

18 A Yeah.

19 Q And can you describe the --

20 Strike that, your Honor.

21 Earlier we talked about vertical transmission.

22 A Yes.

23 Q Can you explain what vertical transmission is?

24 A It propagates through the generations --

25 MS. STEED: It's asked and answered.

1 JUDGE TONAKI: Overruled.

2 THE WITNESS: The Wolbachia is propagating  
3 through your population through the offspring, through  
4 the congenital -- to the babies, and the babies make  
5 more babies, propagating more and more and more, so it  
6 propagates that way.

7 BY MR. VANDEVEER:

8 Q And what is -- and so the vertical  
9 transmission, as you just described it, how does it  
10 relate to the project on Maui?

11 A Vertical transmission, if they did it, would  
12 be a sweep, but on Maui they're trying to make it  
13 sterile. Vertical transmission would appear if somehow  
14 horizontally you got Wolbachia females mating with your  
15 introduced Wolbachia males, then we have vertical  
16 transmission. Then I don't know how to control that. I  
17 don't know the effects and I don't know where it goes.  
18 As long as two things are compatible, even though you  
19 didn't want it there, you're dealing with vertical  
20 transmission.

21 Q In your expert opinion, based on this article,  
22 is additional study appropriate on the issue of  
23 horizontal transmission?

24 A Yes.

25 Q You mentioned math models earlier, Dr. Pang.

1 Can you explain the importance of math modeling?

2 A Sometimes math models aren't too good and I  
3 refuse to help them in the further part of my career.  
4 There's too many unknowns we put in, and they said the  
5 result could be anything. This is malaria coming out of  
6 Johns Hopkins. I did not help them.

7 Then the math models kind of fitted the  
8 observed -- observed -- observations, and so we said,  
9 "Well, it's useful. Can we push it to predict what will  
10 happen?"

11 This is what we did for rat lung. Our  
12 published math model is based on field experience to fit  
13 it. Then we pushed it further to handle all the  
14 variations you might see in the field. Rat lung is not  
15 so different. The disease, rat lung worm versus your  
16 malaria. The vector is a slug versus your mosquito.  
17 And the target is --

18 MS. STEED: Objection.

19 JUDGE TONAKI: -- a human.

20 MS. STEED: Move to strike that last bit of  
21 testimony as it compares a human disease vector with an  
22 avian malaria vector, which we've already established  
23 he's not qualified to testify as to avian malaria.

24 JUDGE TONAKI: Overruled.

25 THE WITNESS: These models that you see

1 generated across many things. The commonality are the  
2 limiting steps on your vectors and your maintenance of  
3 your system to protect it. It's pretty standard. Some  
4 of these are -- our rat lung model is based on the MIT  
5 model of lobster traps. We cited them and we were  
6 thanked for that.

7 BY MR. VANDEVEER:

8 Q How --

9 A It spans these systems. All you have to do is  
10 fine-tune how quickly it will occur and all these other  
11 effects, competing, wild type, fecundity, fertility.

12 Q But you --

13 A Look at the prevalence rise. The prevalence  
14 rise. But what's the absolute number? Is it five  
15 versus 5,000? So there's a lot of thinking that has to  
16 be resolved about this. And what's going to kill the  
17 birds more, a higher prevalence or just a higher number?

18 MS. STEED: Objection.

19 JUDGE TONAKI: Sustained.

20 MS. STEED: Thank you.

21 BY MR. VANDEVEER:

22 Q Dr. Pang, can you explain what a choke point  
23 is?

24 A Oh, choke point. That's when you look at a  
25 population --

1 (Audio from 11:03 to 11:08 not transcribed)

2 BY MR. VANDEVEER:

3 Q -- considered a reliable source?

4 A Yeah, and we had no negative comments so far  
5 on it.

6 Q When you say so far, when was this published?

7 A About three weeks ago.

8 Q So does this -- this paper that you published  
9 three weeks ago as the senior author, does it inform  
10 your opinion regarding math modeling regarding the  
11 efficacy of the Maui plan?

12 A I heard it. Say it one more time. I'm not  
13 sure what part you're emphasizing.

14 Q Does this paper --

15 MS. STEED: Objection.

16 MR. VANDEVEER: Sorry.

17 MS. STEED: Objection. Leading.

18 JUDGE TONAKI: Overruled.

19 BY MR. VANDEVEER:

20 Q Does this paper inform your opinion about the  
21 math modeling for the Maui plan?

22 A Yes.

23 Q In what way?

24 A Because this model shows the increase rise as  
25 if you first introduced CI, you expect to see something,

1 and then it shows the limitation when you plateau  
2 because all the other impinging factors control your  
3 release of CI and the mosquito, so it's a nice dynamic,  
4 the initial rise and the final plateau. And we  
5 specifically say you can divide the equation up into  
6 these two sections.

7           Furthermore, this thing handled a pilot study  
8 already done up in Kula where we saw a great  
9 effectiveness and explain it, and it went on to say that  
10 people complained about these slugs and it can handle  
11 those problems in the field as well. The matter will be  
12 self-correcting.

13           Q     So Dr. Pang, if you would, can you explain why  
14 this math modeling is important?

15           A     If you can verify it against previous studies  
16 and you think it's pretty good, then you can predict  
17 pretty -- well, I hope we can predict pretty well what  
18 could happen in the future.

19                   What could happen in the future is what you  
20 saw in the Singapore model, that things kind of break  
21 down and they had to bring more mosquitoes and, you  
22 know, what's the problem there? Is it drift in? I  
23 don't know, but without a model, you're kind of like --  
24 you got no guidance at least to direct you.

25                   So math models can be very bad if you don't

1 know what you're putting in. They can be very useful if  
2 what you put in seems to make sense and it's based on  
3 prior field study, which this was.

4           It's not the first publication on this topic.  
5 The first was a field study, statistics showing it was  
6 great. 90 percent effective.

7           Q     In your expert opinion, is it important for  
8 scientists to show their work so that other scientists  
9 can critique or otherwise analyze?

10          A     Absolutely.

11           MR. VANDEVEER: Your Honor, at this time I'd  
12 like to publish Plaintiffs' Exhibit P -- I'm lost what  
13 that was. Sorry.

14           UNIDENTIFIED SPEAKER: 9.

15           MR. VANDEVEER: It's 9. 9.

16           MS. STEED: Your Honor, we assert the same  
17 objection. We request an offer of proof. Plaintiff has  
18 not shown why this is relevant to the case.

19           JUDGE TONAKI: Well, are you asking that it be  
20 received in evidence?

21           MR. VANDEVEER: Yes, your Honor.

22           JUDGE TONAKI: Plaintiffs' Exhibit 9 appears  
23 to be something regarding rat lung worm disease where  
24 the carriers are slugs.

25           MR. VANDEVEER: Yes.



1 JUDGE TONAKI: What relevance does it have to  
2 this case, Mr. Vandevveer?

3 MR. VANDEVEER: The relevance is not the  
4 subject matter of the paper, but the math modeling that  
5 Dr. Pang has been explaining that is important to this  
6 project as well.

7 MS. STEED: Your Honor, Dr. Pang can testify  
8 as to the relevancy of that, but we don't need this  
9 exhibit and, moreover, so far there has been no  
10 foundation for how a math model relating to slugs  
11 carrying rat lung worm disease is at all related to the  
12 culex quinquefasciatus carrying avian malaria  
13 Wolbachia.

14 JUDGE TONAKI: Was IIT -- was IIT done with  
15 regard to slugs, Mr. --

16 MR. VANDEVEER: No, your Honor.

17 JUDGE TONAKI: The Court's going to sustain  
18 the objection and not allow Plaintiffs' Exhibit 9 into  
19 evidence.

20 MR. VANDEVEER: Understood, your Honor.

21 MS. STEED: Thank you, your Honor.

22 BY MR. VANDEVEER:

23 Q Dr. Pang, did the applicant in the final  
24 environmental assessment show their work through math  
25 modeling?

1           A     Not that I'm aware of.  They showed the  
2 results.

3           Q     And why is that problematic?

4           A     Because I didn't know what went into the  
5 formula, specifically horizontal transfer.  The other  
6 drifting into the population, drift -- wild drift  
7 running were a containment area and not choke points.

8           Q     In your opinion, is additional study needed?

9           A     Yes.

10          Q     Can you describe what biopesticide wind drift  
11 is?

12          A     Biopesticide wind drift.  I mean I've heard  
13 that term.  I assume you mean the Wolbachia mosquito  
14 drifting out of the area --

15                   MS. STEED:  Objection.

16                   THE WITNESS:  -- to be --

17                   MS. STEED:  The witness is guessing.

18                   JUDGE TONAKI:  Sustained.

19 BY MR. VANDEVEER:

20          Q     Dr. Pang, do you have any concerns about  
21 mosquitoes drifting out of subject area for this study  
22 on Maui -- I'm sorry, for the project on Maui?

23          A     Drifting out?  First of all, if they drift,  
24 the area's in the mid range.  If they drift higher, to  
25 the higher elevations, I think the mosquitoes will die.

1 If they drift out to the lower elevations, then you've  
2 introduced your IIT project to the lower elevations.

3 Okay. But what else is drifting? Do you  
4 think there's horizontal transfer or not? If you're  
5 drifting horizontal transfer male and female Wolbachia,  
6 you're going to sweep the lowlands, so now you've got  
7 things drifting in or out.

8 Out means, to me, like could be uphill where  
9 it's cold and they all die or out means in the lowlands  
10 where they all thrive. That's drifting out. Don't  
11 forget they're drifting in.

12 Q And why is that problematic, in your opinion?

13 A Which one, drifting in or out, or up or down?

14 Q Sorry. We'll start with drifting in. Why is  
15 that problematic?

16 A Drifting in, I don't think above the colder  
17 elevations there's anything to drift in. They're all  
18 dead or they're not there yet. But drifting in from the  
19 lowlands, you're essentially bringing in more wild type  
20 and now you have to maintain higher proportions of  
21 your -- your external release because you're diluting  
22 out your effect. This is what the Singapore consortium  
23 saw, that it was drifting in. We've gotta step it up,  
24 guys, okay? So that's drifting in, diluting out the  
25 effect. You also -- both female and female mosquito --

1 Q (inaudible) efficacy --

2 A Yeah, yeah.

3 Q How about drifting out?

4 A Drifting out. If you drift up to the  
5 highlands, I'm not too worried, it's cold, they'll all  
6 die. They'll probably die before they come back down.  
7 But drifting out to the lowlands, essentially you  
8 release your IIT area into new grounds, and if there's  
9 horizontal transfer, you're risking a sweep. This is  
10 what the Singapore guys saw also.

11 They couldn't control it in their target sites  
12 so they set up these big buffer zones so things wouldn't  
13 drift in and hopefully not drift out. And you see them  
14 repeatedly redraw the zones. Then talk about core zones  
15 protected, but the peripheral zones wasn't.

16 These zones are kind of vague as things drift  
17 back and forth. Not to the highlands. There's nothing  
18 coming down and anything going up, too cold and just let  
19 them die.

20 Q And the concern about drift out is a concern  
21 about potential impact to the environment; is that  
22 correct?

23 A Yes.

24 MS. STEED: Objection. Leading.

25 JUDGE TONAKI: Overruled.

1 THE WITNESS: There are two kinds of things  
2 that might drift out, your own release, okay, but --

3 MS. STEED: Objection.

4 THE WITNESS: -- horizontal transfer --

5 MS. STEED: There is no question that was  
6 asked.

7 MR. VANDEVEER: I believe it's the same  
8 question, your Honor.

9 JUDGE TONAKI: Sustained.

10 BY MR. VANDEVEER:

11 Q Okay. So why is this important, Dr. Pang?

12 A Because this whole thing was supposed to be  
13 contained in an area, but if you can't contain it and  
14 things drift out that you worry about, then show me the  
15 fallback position, how we're going to contain it once it  
16 gets out of hand.

17 Q And did you see a fallback position in the  
18 final environment assessment?

19 A I really didn't, except that they would stop  
20 releasing the experimental males. Things drifted out  
21 already.

22 Q So in your opinion, did that sufficiently  
23 address the concern regarding mitigation?

24 A It could address the concerns if you showed me  
25 the math model -- math model, but if you don't see me

1 the math model, I'm going to assume they would drift off  
2 and expand. The math model is essential. It can vary  
3 for all kinds of speeds of vectors, all kind of blocks  
4 from slugs to mosquitoes to flies. It's a little bit  
5 strange to me people disparage it without actually doing  
6 one or seeing one.

7 Q Can you define super infection as it relates  
8 to this project?

9 A Yeah, I think -- to me, I didn't focus on that  
10 but I think a target can get multiple Wolbachiaes at  
11 once. At once. It can carry two at once.

12 Q And is that --

13 MS. STEED: Move to strike. The witness just  
14 admitted he didn't examine that.

15 JUDGE TONAKI: Overruled. Continue if you had  
16 more in your answer.

17 THE WITNESS: And I think one of the  
18 references I saw was this -- I think it was one of the  
19 references to in item number 9, 10, 11, 12, they talk  
20 about aphids getting things horizontally -- horizontal  
21 transfer, but they said, "Oh, but look, you could get it  
22 vertically too." So two kinds of Wolbachia, one  
23 vertically through the, you know -- to vertically and  
24 the other horizontally, so that's kind of strange. It's  
25 carrying two kinds of Wolbachia. I didn't pay that much

1 attention to it. I have trouble with one Wolbachia.

2 BY MR. VANDEVEER:

3 Q Why would it be important -- why is this  
4 important, this notion of super infection of Wolbachia?

5 A I'm not real sure. I don't know that. I  
6 haven't studied that. I haven't thought about that.

7 Q Was it studied in this final environmental  
8 assessment?

9 A No, I didn't focus on that part.

10 Q Can you explain what increased pathogen  
11 infection means for the mosquitoes in this project?

12 A So the pathogen would be the malaria, so more  
13 malaria to the mosquito. So you want to know is the  
14 malaria harmful to the mosquito or not? I don't know.

15 MS. STEED: Objection. This is about avian  
16 malaria. The witness has not been qualified to discuss  
17 avian malaria.

18 JUDGE TONAKI: Well, what type of malaria are  
19 you referring to, Mr. Vandever?

20 MR. VANDEVEER: Any type of malaria.

21 BY MR. VANDEVEER:

22 Q But specifically speaking if you could address  
23 increased pathogen infection in mosquitoes and how it  
24 might impact humans?

25 A Yeah, the human one, I don't really know.

1 We've been looking at it. Maybe when the mosquito gets  
2 the human, malaria dies; however, in that Exhibit 9, 10,  
3 11, 12, that model on page 15, that equation, it has to  
4 do with survivability. Is it better for the mosquito to  
5 get malaria or not? I don't know. I would like to see  
6 that in the model if somebody thinks it's significant.

7 Q Are there any viruses that can affect humans  
8 and birds?

9 A Oh, yeah. Yeah.

10 Q Can you name an example?

11 A Bird avian flu. Now, the vector happens to be  
12 airborne, not mosquitoes, but, you know, we know pretty  
13 well in this case the birds give it to birds. The  
14 vector is the air. And then the birds might come here  
15 to Hawaii and give it to us. So is the bird a vector?  
16 It kind of gave it to us. Or is it the host? So the  
17 lines get kind of blurred when it's transferred among  
18 hosts, so we know pretty well human, bird, because of  
19 avian flu, and West Nile.

20 MR. VANDEVEER: Your Honor, I'd like to show  
21 the witness Exhibit P13.

22 MS. STEED: The State would like to ask for  
23 what purpose?

24 MR. VANDEVEER: To refresh his recollection  
25 regarding viruses affecting humans and birds.



1 THE WITNESS: Is that West Nile?

2 JUDGE TONAKI: You may.

3 MR. VANDEVEER: Thank you.

4 THE WITNESS: 13. That's West Nile.

5 BY MR. VANDEVEER:

6 Q Do you recognize this exhibit?

7 A Yes. Yes. Yes, I do.

8 Q Can you describe what it is?

9 A It's a study where, in the lab, they  
10 inoculated the mosquito culex with Wolbachia and found  
11 that it -- it up-regulated the West Nile carriage and  
12 transmissibility because it was in the saliva as well.  
13 So they said this is unusual. Most times Wolbachia will  
14 down-regulate the human pathogens, dengue and things  
15 like that, but here's one where it up-regulates, but  
16 they admitted that the way they made the culex Wolbachia  
17 lab might not be the real way it gets it in the  
18 environment, vertical transfer, horizontal transfer, so  
19 be very careful on the way you inoculate your  
20 mosquitoes.

21 Q Did you rely on this opinion -- on this  
22 article in forming your opinion?

23 A There's quite a few human diseases where it  
24 down-regulates and here's one where it's up-regulated,  
25 so it just brought to mind --

1           Do you see the last author, Jason Rascon? He  
2   said in another publication, be very careful. The  
3   effects are very specific to what kind of Wolbachia,  
4   what kind of mosquito or variant of mosquito. What are  
5   you trying to manipulate, sterility, up-regulating,  
6   down-regulating? And how did you inoculate that  
7   mosquito, in the lab or was it in the wild or was it  
8   through common feeding sources?

9           Q    Let me just back up a little bit, Dr. Pang.  
10   Do you find this article reliable?

11          A    Yeah, yeah, for what it says and their  
12   preservations that what they did in the lab might not  
13   extrapolate out into the field.

14          Q    So you're familiar with the findings in this  
15   article?

16          A    Yeah. Yes.

17          Q    What year was it published?

18          A    What year? Oh, gosh. 2014.

19          Q    And did this article -- I'm just going to ask  
20   specifically, did this article specifically inform any  
21   of your opinions about transmission?

22          A    It was a little curious because this is --  
23   this is one of the first we know that it up-regulates a  
24   disease, but there's a lot we know that don't. But the  
25   point is that it's very specific what it would do, based

1 on those four things that the senior author Rascon said  
2 long ago in another publication.

3           It's very difficult to extrapolate, but in the  
4 name of precaution, you kind of worry that these things  
5 might happen, so it's unfair -- you have to -- their  
6 side has to show that these things don't occur in our  
7 system. We could say but they might occur because they  
8 occurred in another system. That's precaution. That's  
9 how we do cancer screening in animals. We extrapolate  
10 from animals to humans.

11           MR. VANDEVEER: Your Honor, at this time I'd  
12 like to enter into evidence Plaintiffs' Exhibit 13.

13           MS. STEED: The State objects on the grounds  
14 that this -- this exhibit lacks foundation. We don't  
15 know who published it, we don't know if it's peer  
16 reviewed, et cetera, and him -- the witness saying it's  
17 reliable does not actually make it reliable.

18           JUDGE TONAKI: Over the objection of  
19 Defendant, Plaintiffs' Exhibit 13 will be received in  
20 evidence.

21   (Plaintiffs' Exhibit 13 was received  
22   into evidence)

23 BY MR. VANDEVEER:

24           Q     Dr. Pang, can you elaborate on what you just  
25 mentioned about precaution?

1           A     The precautionary principle means when you're  
2 about to release something that you really don't know,  
3 either individual human or system, community, that you  
4 are allowed to say these things might happen so can we  
5 be sure they don't happen, the side effects. So that  
6 gives us room to extrapolate that I saw it happen in  
7 this animal. I wonder if it could happen in humans.

8                     This is standard when we screen drugs. We  
9 have animal models. When we look at vaccines, we have  
10 animal models. And by God, if you saw something weird  
11 in the animal, you would suspend or pull those studies  
12 on humans. So you are allowed to extrapolate cross  
13 species and different settings.

14                    But the other side has to show that he saw it  
15 in dogs. I better check to make sure it doesn't happen  
16 in humans. You must show that it doesn't happen. You  
17 can't say, "Well, we don't have data to show that it' in  
18 humans." That's vague. You either didn't do the study  
19 or you did the study and showed it wasn't occurring.  
20 That's fine.

21           Q     And in your opinion, your expert opinion, was  
22 the precautionary principle followed in the project on  
23 Maui?

24           A     Well, let me just think now. Where the things  
25 that could occur in these other animal systems, you

1 know, aphids and ants and all. Well, they said they  
2 don't apply. You can't cross species.

3 In precautionary principles, you can. And  
4 it's up to the person giving the statement to say, "I  
5 think they should. This thing did cross quickly  
6 sustainably in the white fly, in that system, in this  
7 system." So could they occur in the mosquito? And you  
8 don't get to say, "Well, we have no evidence to say it  
9 doesn't." You either have evidence to show it doesn't,  
10 which is good, or you don't know. That's a vague  
11 statement. We have no evidence to show that it occurs.  
12 That's a non-scientific statement.

13 Q So did you see what you just described?

14 A No, I haven't seen -- when you said these  
15 things -- sorry. I haven't seen -- when they say these  
16 things don't occur, I haven't seen the studies, and if I  
17 saw the studies and they said they didn't occur, zero,  
18 you'd give me confidence intervals on that estimate of  
19 zero, wouldn't you, that they don't occur. Uncle Joe,  
20 there's no side effects. Well, I didn't see it. Well,  
21 how many did you look at? Ten, so there's zero. What's  
22 the confidence interval of zero? It ain't zero, it's  
23 zero to 30 percent in ten people. Scientists will ask  
24 you for the confidence interval of your estimate, and  
25 that means you did a study, so I didn't see confidence

1 intervals. In the statements, these things don't occur.

2 Q I'll ask you again, Dr. Pang, did you see an  
3 explanation regarding that element of the precautionary  
4 principle in the final environmental assessment?

5 A No, and the justification was they occur on an  
6 eon evolutionary scale or that it's so rare, we're just  
7 going to factor them out of the equation.

8 Q And in your opinion, was that proper?

9 A No. No. At least put it in an equation so we  
10 can discuss it, because the Singapore people saw  
11 Wolbachia in the females and the larva offspring in an  
12 IIT project.

13 Q And in your opinion, is more study needed to  
14 understand the environment impacts on this issue?

15 MS. STEED: Objection. Asked and answered.

16 JUDGE TONAKI: Sustained.

17 BY MR. VANDEVEER:

18 Q What are the important take-aways that  
19 informed your opinion from this particular West Nile  
20 infection study?

21 A Well, first of all, they like to say, "We're  
22 the first to show that it up-regulates some disease."  
23 I'm not so impressed with that. Thankfully we don't  
24 have West Nile here. We don't know why, but we surely  
25 have the vector.

1           But then I like their discussion to say, you  
2 know, realize that we made our transfer in the lab.  
3 That might not be what's going on in nature. That's  
4 true. If Wolbachia went through our mosquitoes  
5 vertically, it might be different. It might not  
6 up-regulate, but there's another wild card here.  
7 There's a lab inoculation. There's a vertical  
8 transmission if it occurs, and then there's horizontal  
9 transmission. Do you think horizontal transmission is  
10 more like the lab or more like vertical? We don't know.  
11 And what horizontal transmission, through common feeding  
12 sites or serial predation? I don't know.

13           MS. STEED: Move to strike. We've already  
14 established that Dr. Pang is not qualified to talk about  
15 transmission through feeding sites or predation.

16           JUDGE TONAKI: Sustained.

17 BY MR. VANDEVEER:

18           Q     To the best of your knowledge, Dr. Pang, does  
19 the wPip4 strain of Wolbachia exist in the wild on any  
20 of the Hawaiian Islands?

21           A     I don't know. I didn't pay attention to that.

22           Q     In your opinion, Dr. Pang, is this project a  
23 novel experiment?

24           A     There's a lot of unknowns and it's just a  
25 degree how novel is it. We -- I've studied pretty well

1 the sweeps in Brazil where you want it to take over, but  
2 only now, like the Singapore study, kind of recent, to  
3 try IIT to control through sterility.

4 Even the Singapore study encountered quite a  
5 few unknowns that they kind of scrambled to explain, and  
6 it's a very different setting, high urban density  
7 against a very different mosquito vector.

8 Q Were there any studies that you relied upon  
9 that referenced somatic transfer in Wolbachia?

10 A Somatic transfer. That means the body cells,  
11 not the germ cells. If you give it into the body cells,  
12 that's horizontal transfer.

13 But the question is does it pass from the  
14 somatic cells into the germ cells ready to make the next  
15 generation Wolbachia compatible with the males you've  
16 just introduced, so the study was by Friedman, second  
17 exhibit, yeah.

18 MR. VANDEVEER: Your Honor, at this time I'd  
19 like to show the Plaintiff -- I'm sorry, the witness  
20 Plaintiffs' Exhibit 10 to refresh his recollection  
21 regarding somatic transfer of Wolbachia.

22 Thank you.

23 THE WITNESS: The study published in Nature by  
24 Friedman out of Princeton University. I have no  
25 conflict of interest with Princeton. Kind of a key



1 study and this was published in, I think --

2 BY MR. VANDEVEER:

3 Q I'll ask a question, Dr. Pang.

4 Do you recognize this article?

5 A Yes.

6 Q Can you describe what it is?

7 A It's a lab transfer into the fruit fly system  
8 transferring it somatically and watching how and how  
9 quickly it spreads into the germ cells of the fruit fly.

10 Q And who are the authors?

11 A The author is -- the first author is Friedman  
12 and then some other authors, but it's Princeton  
13 University.

14 Q Can you read the other authors that are part  
15 of this?

16 A Jennifer Lee, Drew Robson and Eric Weishaus  
17 (phonetic)

18 Q And are you familiar with this study?

19 A Oh, yeah.

20 Q Do you consider this a reliable source?

21 A Yes, it's published in Nature.

22 Q Sorry. Published in what?

23 A The journal Nature.

24 Q Okay.

25 A Which is quite reliable -- until Covid.

1 Q And did this help you derive your opinions in  
2 this case?

3 A Yes.

4 Q How?

5 A This is the first article I saw that said how  
6 quickly a transfer from somatic to the germ cells egg  
7 cells -- egg cells, eight to 15 days.

8 MR. FRANKEL: Objection, your Honor. This  
9 article is about fruit flies. It's not in evidence,  
10 yeah.

11 MR. VANDEVEER: If I may, your Honor?

12 JUDGE TONAKI: Are you laying a foundation?

13 MR. VANDEVEER: Yes, your Honor.

14 BY MR. VANDEVEER:

15 Q Can you explain why somatic transfer is  
16 significant to your opinion?

17 A Other than the fact that it might hurt your  
18 target -- you know, make them ruin their muscles and  
19 all -- if it gets into their germ cell line, their  
20 offspring will be compatible with the IIT intervention  
21 you brought. You've brought in males. Now this  
22 produces the females and you're off to a sweep. And it  
23 shows that the transfer -- what I was told is so slow,  
24 "Gee, it occurs eight to 15 days after inoculation of  
25 the mosquito."

1 MR. VANDEVEER: Your Honor, at this time  
2 Plaintiffs would ask to admit Exhibit P10 into evidence.

3 MS. STEED: The State objects on the basis  
4 that this is not -- relevance. There's been no  
5 testimony to show that somatic stem cell research in  
6 white flies is relevant to mosquitoes.

7 MR. VANDEVEER: Because it hasn't been done,  
8 your Honor.

9 MS. STEED: Again, relevance.

10 JUDGE TONAKI: I'll sustain the objection.

11 MS. STEED: Thank you.

12 JUDGE TONAKI: I'll allow Plaintiffs' 10 into  
13 evidence.

14 (Plaintiffs' Exhibit 10 was received  
15 into evidence)

16 BY MR. VANDEVEER:

17 Q So did this -- did this article that's not in  
18 evidence -- actually, let me back up, Dr. Pang.

19 Can Wolbachia travel, in your expert opinion,  
20 from the somatic cells of the body to the germ cells?

21 A Yes. This shows the pathway in the fruit fly.

22 Q Why is that important?

23 A Because it was always thought that we could  
24 hold things from our germ line, but this shows that  
25 there's a propensity through the dengue cells, I think

1 somatic stem cells, kind of germinal cells, targeting  
2 the eggs to the ovary system in the females.

3 Q And why is it important in the context of this  
4 project?

5 A It shows that horizontal transfer --

6 MS. STEED: Objection.

7 THE WITNESS: -- can be fast.

8 MS. STEED: This is not in evidence.

9 JUDGE TONAKI: Overruled.

10 THE WITNESS: It shows that horizontal  
11 transfer can be fast. If it didn't show it, if it  
12 showed it was long, then I wouldn't be so concerned that  
13 it's not in mosquitoes, although I could, like, say,  
14 "Well, what do fruit flies have to do with mosquitoes?"  
15 We can play it both ways, but this shows and the other  
16 one -- the white flies shows a rapid transfer and is  
17 sustainable.

18 BY MR. VANDEVEER:

19 Q So is it --

20 MS. STEED: Your Honor, move to strike that  
21 testimony. Plaintiffs have not laid a foundation to  
22 show how somatic cells operating in white flies have any  
23 relevancy to mosquitoes considering they're two  
24 completely different systems.

25 JUDGE TONAKI: Overruled.

1 THE WITNESS: This is somatic stem cells  
2 operating in fruit flies. The white flies just showed  
3 that it went into the subsequent generations and held,  
4 and it went quickly in high numbers. It wasn't shown in  
5 the white fly because they didn't do this kind of study.  
6 You know what I mean? Tracking dengue type of cells  
7 that travel or target the germ cell.

8 BY MR. VANDEVEER:

9 Q How about in mosquitoes, Dr. Pang, in the  
10 female that now has Wolbachia in her germ cells, is she  
11 now able to breed with a lab male?

12 A I assume so. That was their biggest concern.

13 MS. STEED: Objection. It lacks foundation.

14 JUDGE TONAKI: Overruled.

15 BY MR. VANDEVEER:

16 Q Go ahead.

17 A I assume so. That was compatible. You lost  
18 your -- IIT is called cytoplasmic incompatibility. It  
19 keeps it sterile. You are now compatible and you are  
20 aiming for a sweep, you're heading for a sweep. Unless  
21 you're going to show me that the female is compatible,  
22 but it's weak, it's not fertile, so that was the  
23 equations that have to be resolved. I believe they're  
24 fertile, I believe they're not weak and it shows that  
25 the subsequent -- what do you call? -- generations are

1 robust 85 to 90 percent transferable Wolbachia.

2 Q Can female mosquitoes breed more than once?

3 A Oh, yeah. You mean mate and lay eggs?

4 MS. STEED: Objection. Dr. Pang has not been  
5 certified or qualified as an expert in how mosquitoes  
6 reproduce, only in --

7 JUDGE TONAKI: He's an expert in vector so  
8 overruled.

9 BY MR. VANDEVEER:

10 Q Go ahead, Dr. Pang.

11 A Yeah, they take a blood meal -- once they're  
12 adult, they take a blood meal every three or four days  
13 and they lay a batch of eggs for the rest of its life.  
14 I don't know. It depends on temperature and all. Maybe  
15 30 days.

16 I worked in the field on malaria, human, and  
17 by my side were the entomologists telling me all this  
18 stuff, ten years.

19 Q And did you see this somatic cell transfer in  
20 the germ cells, this issue mentioned at all in the final  
21 environmental assessment for the project on Maui?

22 A No, as far as I'm concerned, the publication  
23 by Friedman wasn't brought up.

24 Q Why is that important?

25 A Because it shows that the transfer can occur

1 in the fruit fly rather quickly.

2 Q In your expert opinion, should this have  
3 been -- should this have been studied?

4 A Yes. Even in the lab, at least in the lab,  
5 show me this doesn't occur in the mosquito, in our  
6 target mosquito.

7 Q In your expert opinion, were alternatives to  
8 this project adequately considered in the final  
9 environmental assessment?

10 MR. FRANKEL: Objection. Lacks foundation.  
11 Calls for legal conclusion.

12 JUDGE TONAKI: Sustained.

13 BY MR. VANDEVEER:

14 Q Are you familiar with the World Mosquito  
15 Program population replacement?

16 A No, no, I'm not familiar. I might have seen  
17 their name in passing but I haven't studied them  
18 further.

19 Q Did you offer any alternatives to the  
20 applicant for the biopesticide experiment on Maui --

21 A Yes.

22 Q -- the subject of which --

23 A I did offer publicly on the radio when I was  
24 interviewed in Kauai.

25 Q And were any of your alternatives -- did

1 anyone contact you, I should say, based only that?

2 A No. Actually, my colleagues talked me out of  
3 it, but I thought it was a good idea and I just sent  
4 them a rebuttal to say, "We don't have to be so  
5 invasive. There's a good alternative." And the  
6 malaria -- human malaria people consider it now.

7 Q Can you explain what you mean by that?

8 A The alternative or the -- the alternative?

9 Q The alternative, yes.

10 A We're going to have to resort to human  
11 malaria. Human malaria goes between man mosquito, man  
12 mosquito, man mosquito, okay? The mosquito just wants a  
13 blood meal so if the mosquito bites a cow, well, the  
14 cows don't get malaria. What the mosquito gave to the  
15 cow won't propagate. Cows don't get human malaria and  
16 it couldn't have gotten malaria from the cow, so it  
17 dilutes it out.

18 So the Africans discovered this anecdotally  
19 when they have fever where malaria is endemic. They  
20 drive their cattle under their house. The mosquito bite  
21 the cattle and their human malaria goes away. You  
22 diluted out of the cycle, okay? Good. So that was  
23 called zooprophylaxis and later described by the  
24 British, so you can dilute it out.

25 Add to that now -- to the cattle, you could



1 add a malaria drug, Primaquine, which is exquisitely  
2 good at killing all stages of the mosquito. So the  
3 mosquito gets malaria. It takes -- I don't know --  
4 eight to ten days to develop. It will kill all the  
5 stages. So not only does the mosquito dilute it out by  
6 biting the cattle and not getting human malaria from the  
7 cattle, but if you dose your cattle with Primaquine,  
8 you've cured all mosquitoes that bit it? Okay.

9           So they said, "Ah, you know, what if the  
10 cattle die of Primaquine?" Primaquine is quite safe. I  
11 offered to buy the cattle and call it Pang's cattle and  
12 dose it with Primaquine. That fell out of favor because  
13 of the big push not scientifically because, "We're going  
14 to invent a vaccine, Dr. Pang." And now that the  
15 vaccine is --

16           MS. STEED: Objection. Hearsay.

17           JUDGE TONAKI: Overruled.

18           THE WITNESS: Now that the vaccine's not here,  
19 this has resurfaced again. In theory, you could not go  
20 after the mosquito, but go after its malaria if every  
21 time it took a blood meal, it bit an animal that had  
22 Primaquine. People told me, "Don't take these animals  
23 up there's. It's already endangered." Fine. How about  
24 the portable blood meal thing that this -- not taking  
25 animals, but blood packets and then feeds.

1           Or you can move your animals far from the site  
2 because of the ranging distance for a blood meal can be  
3 very long for the female mosquito. I think in the  
4 Galapagos, it's approaching four miles for one bite of a  
5 mosquito, culex, and for the other type of mosquito,  
6 16 miles. So you can put your animals way out of the  
7 zone and they feed, cure malaria and go back, and plenty  
8 mosquitoes, you're giving them blood, but no malaria.

9 BY MR. VANDEVEER:

10           Q     Is the IIT method that's used globally that's  
11 generally, I believe, referred to as the sweep method --

12           A     No, no, it's the other way around, but go  
13 ahead.

14           Q     Is the IIT method that's used globally  
15 different than the Maui project in any way?

16           A     No, the IIT method is the -- is the sterility  
17 method. The IIT method is not called the sweep method.  
18 Sweep is the whole thing takes Wolbachia so you release  
19 male, female. The IIT is you wiping out all the  
20 mosquitoes, period.

21           Q     And what is that method known as?

22           A     The first or second one?

23           Q     The second.

24           A     That's called the IIT and it's also called --  
25 I used to call it cytoplasmic incompatibility, CI.

1 Q Is it also referred to as a suppression  
2 method?

3 A Yeah, but there's different forms of  
4 suppression. Yeah, I mean it's a specific introduction  
5 of Wolbachia because it's incompatible -- the matings  
6 are incompatible so sterile offspring.

7 Q In your review of the final environmental  
8 assessment, did you note any conflicts of interest that  
9 had been disclosed?

10 MS. STEED: Objection. The expert is not  
11 qualified to discuss issues of conflict of interest.  
12 Also that calls for legal conclusion.

13 JUDGE TONAKI: Sustained.

14 MS. STEED: Thank you.

15 BY MR. VANDEVEER:

16 Q In your own line of work, Dr. Pang, when  
17 publishing an article, do you disclose your conflicts of  
18 interest?

19 A Yes, it's by law.

20 Q Are you aware of any conflicts of interest of  
21 any of the partnering agency employees, board members,  
22 contractors or representatives of this project?

23 MS. STEED: Same objection.

24 JUDGE TONAKI: Overruled.

25 THE WITNESS: There has been a redefinition of

1 conflict of interest in 2009 by the Institute of  
2 Medicine, and it's to expand scientific fields outside  
3 of medicine.

4           And the definition -- the old definition was,  
5 you know, this guy got a kickback. They said beyond  
6 financial interests to one person, it will extend to  
7 your family, friends, colleagues -- colleagues and  
8 students, and it's more than kickback, it's promotion of  
9 a career, your standing in society and one more thing I  
10 can't remember, but you see how extensive it is, okay?

11           So you did something because you influenced  
12 the promotion of the career of your colleague. That's  
13 the Institute of Medicine has not been rebutted. They  
14 did not take this lightly. Very senior people in 2005  
15 said, you know, "We have conflict. Who doesn't? And we  
16 could set this aside."

17           It was shown in the New England Journal of  
18 Medicine you're either delusioning -- delusional or  
19 you're lying, so that's why the Institute of Medicine  
20 four years later had to formulate this opinion.

21           So when you ask me conflict of interest, are  
22 we going by my definition, Institute of Medicine, or by  
23 the old definition, direct kickbacks to the person?

24 BY MR. VANDEVEER:

25           Q     Dr. Pang, in your expert opinion have the

1 concerns you've addressed here today been studied enough  
2 for the State and its agency partners to move forward  
3 with this project?

4 MS. STEED: Objection. Asked and answered.

5 JUDGE TONAKI: Overruled.

6 THE WITNESS: I think of myself as a  
7 reasonable person. The birds are in crisis. I don't  
8 want to drag this out. If you want to make a move, try  
9 to make the best move you can, but is there something we  
10 can do quickly in the lab to calm the fears that this  
11 will be effective and we will not have side effects?  
12 Can we move quickly? We had to do this for Covid.

13 BY MR. VANDEVEER:

14 Q As it is currently being proposed in your  
15 expert opinion, has this experiment been studied enough  
16 for the State and its agency partners to move forward?

17 A No.

18 MR. VANDEVEER: Thank you, Dr. Pang.

19 No further questions, your Honor.

20 JUDGE TONAKI: Okay. We're close to the lunch  
21 hour, so we'll take our lunch break.

22 Before we break, assuming we're not going to  
23 finish the hearing today, I want to give the parties  
24 some additional dates so you can check with your  
25 witnesses perhaps during lunch.

1           The first additional date would be August 11th  
2 for a continued hearing, so that's a Friday, Friday,  
3 August 11th from 9:30 to four.

4           MS. STEED: Your Honor, I actually have a  
5 settlement conference and an MSJ hearing on the calendar  
6 that day. I'm hoping I will not have to the attend  
7 either, but if you have other dates, that might be best  
8 in the event I have to attend.

9           JUDGE TONAKI: I have one other date, Tuesday,  
10 August 15, and that will only be in the afternoon from  
11 1:30 to four.

12           MS. STEED: Your Honor, that's fine for the  
13 State.

14           MR. VANDEVEER: That works for Plaintiffs as  
15 well, your Honor.

16           JUDGE TONAKI: If you could check, Ms. Steed,  
17 on the 11th?

18           MS. STEED: Yes, your Honor, I --

19           JUDGE TONAKI: If you can have someone stand  
20 in for you?

21           MS. STEED: Oh, I apologize, your Honor, I'm  
22 the only attorney on that case -- on those two cases.

23           JUDGE TONAKI: Oh, okay.

24           MS. STEED: I will know -- I will know in -- I  
25 should know on August 4th, which is probably too late.

1 It's dependent on if I win a summary judgment motion.

2 JUDGE TONAKI: Okay. So why don't we just  
3 agree on the Tuesday, August 15 from 1:30 to four, and  
4 then I can look for an additional date.

5 MS. STEED: Thank you, your Honor.

6 JUDGE TONAKI: Okay. Okay. So we'll take our  
7 lunch break. If we can reconvene at one o'clock?

8 THE BAILIFF: We stand in recess. The Court  
9 stands in l.

10 All rise. The Court stands in recess.

11 (Recess was taken)

12 THE BAILIFF: This Court is reconvened. You  
13 may be seated.

14 JUDGE TONAKI: Okay. We're back on the record  
15 in the Hawaii United versus DLNR.

16 And I would ask --

17 Dr. Pang is still on the stand.

18 Cross-examination?

19 MS. STEED: Yes, your Honor.

20 JUDGE TONAKI: Okay. Go ahead.

21

22 CROSS-EXAMINATION

23 BY MS. STEED:

24 Q All right. Good afternoon, Dr. Pang. Are you  
25 ready to go back on the record?

1 A Yes.

2 Q Okay. The State just has a few questions  
3 today on cross-examination for you.

4 First, previously this morning on direct  
5 examination you testified to having alternatives that  
6 you thought that the environmental assessment should  
7 have considered in the proposed project; is that  
8 correct?

9 A Yes, that's -- that's -- oh, God. Yes, that's  
10 correct.

11 Q Okay. And in your testimony, you compared --  
12 well, you compared this project to using cattle to  
13 control mosquitoes carrying human malaria; is that  
14 correct?

15 A Correct.

16 Q Now, the alternative that you were referring  
17 to in your testimony this morning, was that in reference  
18 to using rabbits to control avian malaria?

19 A Rabbit blood source, yes.

20 Q Could you please explain what your alternative  
21 was using rabbits?

22 A Okay. First of all, the existing EA pretty  
23 summarily dismissed antimalarial drugs to catch the bird  
24 and treat the bird. We agreed that that was not -- you  
25 know, that was not great, you traumatize the bird.



1           So what the plan was to get the rabbits --  
2 lab-raised all-male rabbits -- put them out there where  
3 the mosquito -- female mosquitoes would bite them, and  
4 the mosquitoes would get their blood source from the  
5 rabbits, and they wouldn't get malaria from rabbits  
6 because they don't carry avian malaria, and if they had  
7 avian malaria, the mosquitoes, they would give it to the  
8 rabbit and dilute it out.

9           I was going to add on Primaquine because it  
10 pretty exquisitely treats all stages -- and I think  
11 avian malaria too in the mosquito, so not only are you  
12 diluting out the malaria affecting the rabbit, but  
13 you're curing all mosquitoes of avian malaria.

14         Q     Thank you, Dr. Pang.

15           And getting to that point of using a drug in  
16 the rabbits to cure the malaria, do you know of any  
17 studies that show that that specific drug that's used to  
18 treat human malaria in cattle can be used to treat avian  
19 malaria in the culex quinquefasciatus mosquito?

20         A     I don't know but I haven't looked extensively.

21         Q     Okay. Thank you.

22           And then the next question, were you proposing  
23 that the rabbits just be released into the wild?

24         A     No, they would be held in cages --

25         Q     Okay.

1           A     -- and they're fed.  But then we thought,  
2 well, are you going to put the cages in these sites and  
3 how frequently and how often are you going to visit  
4 them?  So I thought, "Yeah, maybe you're right.  That  
5 was a little extreme."

6                     But then I find that the female mosquito for  
7 blood-seeking can go quite a distance.  It's not the  
8 same as the short distance for sugar feeding.  So I  
9 thought we could put it on the periphery.  Now, I never  
10 did a study on these things, but the Galapagos has  
11 published papers on this.

12           Q     Okay.

13           A     They had the same problem.

14           Q     So using the -- the Galapagos uses rabbits for  
15 the culex quinquefasciatus?

16           A     No.  They identified two other mammals, man  
17 and, I think, pig.

18           Q     But not rabbits, correct?

19           A     Not rabbits.

20           Q     Okay.

21           A     I chose rabbits because in the lab that was  
22 the best target.

23           Q     Okay.

24           A     They would feed preferentially.

25           Q     And in the location of rabbits, putting them

1 on the periphery, did you think that it was not feasible  
2 to place rabbits throughout the entire landscape where  
3 the project was proposed?

4 A Yes, that's correct.

5 Q Okay. And so with these rabbits, they  
6 would -- is it correct to say that under your  
7 alternative theory they would only be located on the  
8 periphery?

9 A Correct.

10 Q And do you -- do you know how wide ranging the  
11 landscape that is targeted in this project is?

12 A You mean the area?

13 Q The size, yes.

14 A Sorry. The area. I was just drawing a rough  
15 figure, you know, about ten percent of the land of Maui,  
16 so that would be about 60 square miles.

17 Q Okay. And how many rabbits do you think it  
18 would take to cover the 60 square miles under your  
19 alternative?

20 A On the periphery. On the periphery.

21 Q On the periphery, yes.

22 A So I haven't looked at the circumference and  
23 the shape, but then if --

24 Q Okay. So then is it fair to say you don't  
25 know how many rabbits it would require?

1           A     Well, we can give rough estimates, but I don't  
2 know the shape --

3           Q     Okay.

4           A     -- of the 60 square miles.

5           Q     Okay. Now, we've heard at length about  
6 Wolbachia during your testimony this morning.

7                     Is it correct that Wolbachia is not a known  
8 human pathogen?

9           A     Correct.

10          Q     Okay. And is it also correct that the West  
11 Nile Virus is not in Hawaii?

12          A     That's not correct.

13          Q     There have been studies detecting West Nile  
14 Virus?

15          A     Yes, we did the studies, the airport. It was  
16 in our mosquitoes, and then we have imported cases from  
17 Colorado, et cetera.

18          Q     Okay. But in the Haleakala Forest, there is  
19 no documented West Nile Virus?

20          A     Well, we haven't looked really.

21                     MS. STEED: Okay. Thank you.

22                     No further questions from the State. We  
23 reserve the right to call Mr. -- Dr. Pang, as needed.

24                     MR. FRANKEL: Your Honor, just a couple  
25 questions.

1 MR. VANDEVEER: Sorry, your Honor. Point of  
2 clarification. This morning we talked about not having  
3 two attorneys. Is that -- is that only on direct or is  
4 that on --

5 JUDGE TONAKI: We were advised that because  
6 their understanding, it was on their --

7 MR. VANDEVEER: Understood.

8 JUDGE TONAKI: -- so -- but I -- with the  
9 proviso that I don't want them covering the same areas.

10 MR. VANDEVEER: Understood. Thank you, your  
11 Honor.

12 MR. FRANKEL: It will less time than we just  
13 took.

14 JUDGE TONAKI: Mr. Frankel, yes.

15 MR. FRANKEL: Thank you.

16

17 CROSS-EXAMINATION

18 BY MR. FRANKEL:

19 Q Dr. Pang, you're not a lawyer, right?

20 A No, I'm not a lawyer.

21 Q So you don't know if the law requires that an  
22 environmental assessment include a mathematical model?

23 MR. VANDEVEER: Objection, your Honor. Calls  
24 for legal conclusion.

25 JUDGE TONAKI: Overruled.

1 THE WITNESS: I don't know.

2 MR. FRANKEL: Thank you. No further  
3 questions.

4 JUDGE TONAKI: Any redirect?

5 MR. VANDEVEER: Very briefly, your Honor.

6

7

REDIRECT EXAMINATION

8 BY MR. VANDEVEER:

9 Q Dr. Pang, was your opinion regarding the  
10 concerns that you've addressed in court today that the  
11 project was not studied enough for the State and agency  
12 partners to move forward because the applicant did not  
13 select the alternative you proposed?

14 A No. Even when I removed my request for the  
15 alternative and not to study it further, I still stand  
16 that the actual intervention itself has questions.

17 MR. VANDEVEER: Thank you, your Honor. No  
18 further questions.

19 JUDGE TONAKI: Any recross?

20 MS. STEED: No, your Honor.

21 JUDGE TONAKI: Okay. Thank you, Dr. Pang.

22 You're excused as a witness.

23 THE WITNESS: Okay.

24 (The witness was excused)

25 MR. VANDEVEER: Your Honor, Plaintiffs call

1 their next witness, Tina Lia to the stand, please.

2 JUDGE TONAKI: Ms. Lia, we're going to  
3 administer the oath.

4 THE CLERK: Do you solemnly swear or affirm  
5 that the testimony you are now about to give shall be  
6 the truth, the whole truth and nothing but the truth?

7 MS. LIA: I do.

8 THE CLERK: Thanks.

9 JUDGE TONAKI: Ms. Lia, if you can speak  
10 directly into the microphone loudly so we can get a good  
11 recording, thank you.

12 TINA LIA,  
13 having first been duly sworn, was  
14 examined and testified as follows:

15  
16 DIRECT EXAMINATION

17 BY MR. VANDEVEER:

18 Q Good afternoon, Ms. Lia.

19 Where do you live?

20 A In Kihei on Maui.

21 Q And how long have you lived there?

22 A 24 years.

23 Q Where do you work?

24 A I have my own consulting business, so I work  
25 all over the island doing primarily administrative and

1 bookkeeping work.

2 Q Do you do any volunteer work?

3 A Yes, I've done volunteer work for many years  
4 for different organizations and just community groups.

5 Q Can you tell us about the nonprofits you've  
6 worked for?

7 A I've done volunteer work and consulting work  
8 with a few different nonprofits and a couple of  
9 environmental nonprofits. Specifically that was  
10 starting back around 2006.

11 And that first nonprofit's called Community  
12 Workday. It's an affiliate of Keep America Beautiful.  
13 They do litter cleanups and beach cleanups and recycling  
14 programs and community gardens and education.

15 And I also worked with Sharing Aloha which  
16 does recycling programs and education with warm  
17 composting workshops and they put on the Art of Trash  
18 Show annually every year with the recycled artwork and  
19 fashions.

20 And I've worked for other --

21 (Audio from 1:12 to 1:17 not transcribed)

22 THE WITNESS: -- Makawao Forest Reserve that  
23 I do frequent and Hosmer's Grove, which appears to be up  
24 against the project area and possibly partially part of  
25 it. I can't quite tell. But those are areas I



1 frequent, Kipahulu, and Hana side. It just, you know,  
2 was the whole expansive East Maui, and so, to me, it  
3 seemed like a pretty big project to undertake for  
4 something that already had sounded experimental to me at  
5 the time.

6 BY MR. VANDEVEER:

7 Q And did you seek out any more information once  
8 you had heard about the project?

9 A Yes. I started looking at the state and  
10 national websites related to the project. I looked into  
11 the World Mosquito Program to find out more about  
12 Wolbachia mosquitoes, which they actually use a  
13 different technique, but there was still information  
14 that was useful, and different online sources mostly of  
15 the agencies involved themselves, the Maui Forest Bird  
16 Recovery Program and, you know, the agencies that we're  
17 speaking about the project, I wanted to know more about  
18 why it was happening and details about the project.

19 Q Did you understand the science behind the  
20 project when you first became aware of it?

21 A I didn't really understand the details  
22 completely, but I had heard about the Wolbachia  
23 mosquitoes because I've been following, you know, some  
24 of the different stories in the news about mosquito  
25 releases over the last several years, probably the last

1 ten years. It's something that I've definitely been  
2 concerned about and wanted to know more about, so I was  
3 aware that there were these Wolbachia mosquitoes.

4 At first, we didn't know that that's what they  
5 were planning to use here, and actually when the  
6 information first came out through our network, it was,  
7 you know, they're bringing GMO mosquitoes and that's, of  
8 course -- you know, I was concerned about that.

9 And then pretty shortly after that, the State,  
10 I think, had presented updated information to let people  
11 know it was the Wolbachia mosquitoes, and I was still  
12 concerned about that because that's a bacteria and, you  
13 know, mosquitoes are a disease vector, and it was  
14 something that I wanted to know more about.

15 Q Do you recall when the State presented the  
16 information that you just referenced?

17 A I'm going to say it was shortly after we were  
18 first alerted about it, so either in June or possibly  
19 early July, but probably, you know, within a week or two  
20 of pushback from the community when they thought it was  
21 the generically modified mosquitoes. A lot of people  
22 testified. I think that was at a Department of  
23 Agriculture board meeting.

24 Q This would have been in 2022; is that correct?

25 A Yes, yeah.

1 Q Did you contact anyone to help better  
2 understand the science behind the project?

3 A Yes. That's actually when I contacted  
4 Dr. Pang, which I believe was either in June or July of  
5 last year.

6 Q And why did you contact Dr. Pang?

7 A Because I know that he's a tropical disease  
8 expert and that he knows about mosquito-borne illnesses,  
9 and because I respect his opinion, and I know that he  
10 stays neutral, and that if I had concerns, that he would  
11 either help me not be so concerned about it by  
12 explaining that, you know, "No, this is probably okay  
13 and this is why," or he would help me to understand what  
14 could happen with those concerns. And I just knew that  
15 he would be neutral and have the solid background. And  
16 he's actually the person on the -- all of the islands  
17 that they usually go to for mosquito-borne --

18 JUDGE TONAKI: Hold on.

19 MS. STEED: This is both a narrative answer  
20 and improper testimony about Dr. Pang's qualifications.  
21 He's already been on the stand.

22 JUDGE TONAKI: Sustained. Calls for a  
23 narrative.

24 BY MR. VANDEVEER:

25 Q How did you know Dr. Pang?

1           A     I met him when I was working with the  
2     Community Workday.  They're now called Malama Maui Nui.  
3     That's the environmental nonprofit affiliate of Keep  
4     America Beautiful.  I think around 2009 we met.  He's  
5     been on their board for many years and we worked on a  
6     federal grant together.

7           Q     And did Dr. Pang agree to speak with you as a  
8     private citizen about the Wolbachia mosquitoes?

9           A     Yes, he did.

10          Q     Did you ever submit written testimony  
11     regarding the birds, not mosquitoes, Wolbachia release  
12     plan?

13          A     Yes, I submitted testimony a number of times  
14     to the Board of Land and Natural Resources, I believe to  
15     the Department of Agriculture as well when we first  
16     heard about the project, but definitely to the Board of  
17     Land and Natural Resources several times.

18          Q     And were you ever made aware of something  
19     called horizontal transmission?

20          A     Yes.

21          Q     And did you testify as to that?

22          A     I did.  I included it in my testimonies, yeah.

23          Q     And what was the response to that?

24                 MS. STEED:  Objection.  Calls for hearsay.

25                 MR. VANDEVEER:  I'm sorry.  I'll withdraw that

1 question, your Honor.

2 JUDGE TONAKI: Thank you.

3 BY MR. VANDEVEER:

4 Q What was your concern that you testified  
5 about, Ms. Lia?

6 MR. FRANKEL: Objection, your Honor.  
7 Relevance.

8 JUDGE TONAKI: Overruled.

9 THE WITNESS: My concern was that they had not  
10 studied that at all. It didn't appear to be referenced  
11 at all in their draft environmental assessment. And,  
12 you know, based on what I had learned about it, it was  
13 something that could -- you know, if it hadn't been  
14 studied in this particular mosquito, which it doesn't  
15 appear that it has because no one has presented that  
16 information --

17 MS. STEED: Objection.

18 THE WITNESS: -- um.

19 MS. STEED: Objection. Ms. Lia is not  
20 testifying as an expert witness. It's not proper for  
21 her to testify as to what has or hasn't been studied.

22 JUDGE TONAKI: Yeah. Mr. Vandevveer?

23 MR. VANDEVEER: So --

24 JUDGE TONAKI: She can testify as to what her  
25 testimony was before the --

1 MR. VANDEVEER: Understood, your Honor.

2 BY MR. VANDEVEER:

3 Q If you could, please just answer the question.

4 A Yeah. So in my testimony, I explained that  
5 the bacteria -- the Wolbachia bacteria could transmit  
6 horizontally in the environment to other insects,  
7 including insect vectors of disease.

8 Q Did you testify about any --

9 Sorry. Did you have any concerns about birds  
10 being harmed by the project?

11 A Yes, I did, because I learned during that time  
12 period that there was a peer-reviewed study showing that  
13 the Wolbachia could cause the mosquitoes to become more  
14 capable of transmitting avian malaria, and there was  
15 another study showing they could become more capable  
16 transmitting West Nile Virus, which not only humans can  
17 get but birds can get also.

18 MS. STEED: Objection. Move to strike that  
19 testimony. It was in reference to what birds can and  
20 cannot contract. Ms. Lia is not an expert as to  
21 avian disease.

22 JUDGE TONAKI: Overruled. The Court  
23 understands that Ms. Lia is not testifying as an expert  
24 witness, but testifying as to what she conveyed to the  
25 Board in her testimony.

1 BY MR. VANDEVEER:

2 Q Ms. Lia, did you testify about any disease  
3 research regarding -- or concerning Wolbachia that  
4 concerned you?

5 A The disease research that I just referenced,  
6 the avian malaria and the West Nile Virus. There were  
7 other studies that I referenced in my testimony that  
8 related to some of those concerns as they tied into the  
9 horizontal transmission.

10 Q Did you testify about evolutionary events?

11 A Yes.

12 Q How about mosquitoes breeding?

13 A Yes.

14 Q How about population replacement?

15 A Yes.

16 MS. STEED: Objection. This is leading.

17 THE WITNESS: Overruled. Go ahead.

18 BY MR. VANDEVEER:

19 Q And what were your concerns about the  
20 evolutionary events with the project that you testified  
21 about?

22 A Well, so this is in my testimony, but it  
23 connects the different issues here, and so it has to do  
24 with if the population of the mosquitoes are replaced  
25 with this lab strain infected population, we don't know

1    how much more capable they may be of spreading diseases,  
2    including those two specific ones, but others that these  
3    culex quinquefasciatus mosquitoes transmit to humans  
4    and birds and other wildlife.

5           Q     Did you testify about wind drift?

6           A     Yes.

7           Q     And what about wind drift concerned you?

8           A     You know, I'm more concerned that no studies  
9    have been done and that this -- because this is a  
10   biopesticide, which is essentially a microbial  
11   pesticide, there's the same issue as there is with  
12   pesticides -- is that it can drift on the wind to  
13   unintended places, so how that might affect the  
14   environment and human health and, you know, just the  
15   health of life on the island as a whole.

16                   I also had come to understand that there are  
17   issues with, you know, the efficacy of the wind drift  
18   affecting the (inaudible) as well, but my concern  
19   actually was more about these mosquitoes are going to go  
20   places they weren't supposed to go, so that's not really  
21   a controlled, contained situation.

22           Q     When you say it wasn't studied, are you  
23   referencing in the environmental assessment for this  
24   project?

25           A     It was not mentioned at all in the



1 environmental assessment.

2 Q From what you learned about the -- well, let  
3 me back up.

4 Have you read the draft environmental  
5 assessment for this project?

6 A Yes.

7 Q Have you read the final environmental  
8 assessment for this project?

9 A Yes.

10 Q And from what you learned about the project,  
11 are you concerned about any potential negative impacts?

12 A I'm very concerned about those impacts and  
13 several others that were mentioned in my testimonies and  
14 comments, and that were not addressed in those  
15 environmental assessments, the draft or the final -- or  
16 were not adequately addressed. Some of them were not  
17 addressed at all, several of them.

18 Q Can you recall what the ones that weren't  
19 addressed at all were?

20 A I have to think about that. I -- my comment  
21 on the draft environmental assessment is eight pages  
22 long and there are so many things in there -- yeah, I  
23 think specifically some of the studies that were  
24 referenced. I think the --

25 There's an issue about the female mosquitoes

1 that are accidentally going to be released, and that is  
2 not an if, that is something that the agencies have  
3 admitted will happen, and that there are no documented  
4 figures for that in their environmental assessment,  
5 either the draft or the final.

6 And the EPA guidelines allow for one female  
7 for every 250,000 males, which could amount to over  
8 3,000 females released every week on Maui based on those  
9 EPA guidelines for this biopesticide that went through a  
10 process of emergency exemption approval through the EPA,  
11 so that's what we know can happen, is allowed to happen.  
12 I would say that's a main one that was not addressed.

13 It is mentioned in the final environmental  
14 assessment as if something -- if females were  
15 released -- this is in the Appendix H -- when they do  
16 address some of the public's comments, and they  
17 basically brush it off and say, "If the population is  
18 replaced, no big deal." You know, whether it's just,  
19 you know, another mosquito, they don't -- they don't  
20 consider these other factors that we're talking about  
21 that we don't know how that Wolbachia might affect that  
22 mosquito or, you know, how many mosquitoes we're going  
23 to have, might we have more than less. There's a lot of  
24 things that could happen.

25 Q From what you learned about the project, are

1 you concerned that any of the potential effects could be  
2 irreversible?

3 A Definitely. I feel like it's already  
4 irreversible because the Wolbachia itself is a living  
5 organism that lives on. It's not the same as a mosquito  
6 that just has a life span. It can live on in the  
7 environment and continue to propagate and find new  
8 hosts, and so it's already irreversible because they  
9 started releasing them despite our --

10 MS. STEED: Objection.

11 JUDGE TONAKI: Overruled.

12 BY MR. VANDEVEER:

13 Q You can continue.

14 A Well, that's my main concern. I mean it's my  
15 understanding that they -- they're doing -- probably  
16 doing the initial studies where less mosquitoes are  
17 being released right now, but it's still irreversible.  
18 That bacteria's in the environment and we don't know --

19 That is a foreign bacteria, and that's another  
20 thing that's been misrepresented, so when we talk about  
21 things that were not in the environmental assessment, in  
22 my research looking at the documents for this project  
23 through the EPA applications, different import permits  
24 from the agencies, it showed that the public wasn't  
25 being shown very specific information, and that can have

1 to do with the Wolbachia itself, which the EPA emergency  
2 exemption application shows that Wolbachia for this  
3 project originates from Kuala Lumpur in Malaysia. That  
4 is foreign --

5 MS. STEED: Objection.

6 JUDGE TONAKI: Overruled.

7 Mr. Vandever, go ahead. I'm going to ask you  
8 to ask questions.

9 MR. VANDEVEER: Yes, your Honor.

10 JUDGE TONAKI: No narratives here.

11 BY MR. VANDEVEER:

12 Q Did you share any of the information you  
13 learned from what you had read with anyone?

14 A I shared it with the public from early on last  
15 year. I started an e-mailing list that has grown, and  
16 I've been sharing it with the public, writing articles,  
17 sharing it with media, sharing it through this testimony  
18 and public comments, and going to the outreach meetings  
19 and publicly asking these questions and presenting this  
20 information.

21 Q You said you wrote an article about it as  
22 well?

23 A I've written several, but I initially wrote an  
24 article last year after I had spoken with Dr. Pang that  
25 described the science -- the details of the science, his

1 concerns that he presented to me at that time.

2 Q And did you share this article with the  
3 Department of Land and Natural Resources or the Board of  
4 Land and Natural Resources?

5 A I did. I sent it in as testimony --

6 (Audio from 1:32 to 1:37 not transcribed)

7 THE WITNESS: In that document I think it does  
8 specify that they are intending to import Wob A, Wob B  
9 and wPip4.

10 BY MR. VANDEVEER:

11 Q Did you do any other research regarding  
12 requests to determine establishment of the Southern  
13 (inaudible) mosquito?

14 A I did. I also did research on those strains  
15 to see if they all existed in Hawaii.

16 Q And what did your research bear out?

17 MR. FRANKEL: Objection, your Honor. Calls  
18 for hearsay. Calls for opinion. Lacks foundation.

19 JUDGE TONAKI: Sustained.

20 BY MR. VANDEVEER:

21 Q As a member of the public, was there any  
22 concern that you had regarding the DLNR request to  
23 import and establish?

24 MR. FRANKEL: Objection. Relevance.

25 JUDGE TONAKI: Overruled.

1           THE WITNESS: I became especially concerned  
2 when I did follow-up research and found that there was a  
3 University of Hawaii import request that specified that  
4 wPip4 does not exist in Hawaii.

5 BY MR. VANDEVEER:

6           Q     And why did that concern you?

7           A     Because I had already had the conversation  
8 with Dr. Pang about how the different strains are -- can  
9 bring a lot of unknown factors in, and that if there's a  
10 new strain being brought into the Islands, that we don't  
11 know how that might affect the mosquitoes, the -- you  
12 know, the wildlife, the other insects that could pick it  
13 up horizontally.

14                     And I was very concerned that this had been  
15 misrepresented to the public by Birds Not Mosquitoes and  
16 their agency partners, because they have repeatedly said  
17 that this bacteria already exists here in Hawaii, it's  
18 all around us, and the reality is that it's coming from  
19 a Mainland lab and sourced from Kuala Lumpur and tied  
20 into this project and the importing request is this  
21 wPip4 bacteria strain that does not exist on the Islands  
22 per their own documents.

23           Q     Did you research the State of Hawaii  
24 Department of Agriculture's Emergency Exemption  
25 Application for the use of mosquitoes?

1           A       With the EPA? Is that the EPA application  
2 we're talking about for emergency?

3                   Yes, I believe that we're talking about -- the  
4 Department of Agricultural is the one that applied for  
5 the EPA emergency exemption.

6           MR. VANDEVEER: Your Honor, at this time I'd  
7 like to show the witness Exhibit P15, Plaintiffs'  
8 Exhibit 15 to refresh her recollection.

9           JUDGE TONAKI: She hasn't expressed a need to  
10 have her recollection refreshed, so --

11           MR. VANDEVEER: I thought she asked. I'm  
12 sorry. Okay.

13 BY MR. VANDEVEER:

14           Q       So this is the EPA application, exemption  
15 application --

16           MS. STEED: Objection.

17           MR. VANDEVEER: Allow me to the finish my  
18 question with respect. If that's okay, I'll finish my  
19 question.

20 BY MR. VANDEVEER:

21           Q       Did you research the State of Hawaii's  
22 Department of Agriculture Emergency Exemption  
23 Application for the use of mosquito DXB males as a  
24 biopesticide in Hawaii?

25           A       Yes.

1 Q When did you research that?

2 A Some time last year within the time of finding  
3 out about this project and within a few months after  
4 that, probably a couple, three months after that.

5 Q And is that exemption application -- does it  
6 pertain to this project?

7 A Yes.

8 Q Did you have any concerns about that  
9 application?

10 A I had a lot of concerns about that  
11 application. One of them had to do with -- that's where  
12 it specifically mentioned that the bacterial strain was  
13 originating from Koala Lumpur in Malaysia and the  
14 mosquitoes originating from Palmyra Atoll.

15 There was also that figure of one female per  
16 250,000 males documented in that document. It showed  
17 that the largest project area to date documented in  
18 that -- in that particular document was 724 acres  
19 whereas the one here on Maui is 64,666 acres. That  
20 seemed like an enormous jump to me.

21 I believe there were a few other things that I  
22 can't recollect right now, but those were three main  
23 concerns that I had.

24 MR. FRANKEL: Your Honor, can I ask that you  
25 instruct the witness just to answer the question because



1 that was not the question. I move to strike. Just  
2 ask --

3 JUDGE TONAKI: Overruled.

4 MR. VANDEVEER: Your Honor, may I show the  
5 witness P15 to refresh her recollection as to this  
6 report that we're discussing?

7 MS. STEED: Your Honor, the witness again  
8 hasn't expressed a need for her memory to be refreshed.

9 JUDGE TONAKI: Well, it's an improper use to  
10 refresh recollection, Mr. Vandever. If you're trying  
11 to lay foundation for this -- this document, then yeah,  
12 you can show her and ask her foundational questions,  
13 but --

14 MR. VANDEVEER: Okay. If that's --

15 JUDGE TONAKI: -- she's been testifying about  
16 the document and hasn't expressed a need to have her  
17 recollection refreshed.

18 MR. VANDEVEER: Understood, your Honor. If I  
19 may --

20 JUDGE TONAKI: Yes.

21 MR. VANDEVEER: -- lay a foundation?

22 Thank you.

23 THE WITNESS: I just thought of something else  
24 that if I'm --

25 JUDGE TONAKI: You gotta wait for a question.

1 THE WITNESS: Oh, okay.

2 BY MR. VANDEVEER:

3 Q And you can take a minute to look at that if  
4 you need to.

5 A Yeah, I remembered what I wanted to say -- one  
6 more thing for sure, but I'll take a look.

7 Q Is that the document -- is the document before  
8 you the emergency exemption application that you were  
9 discussing?

10 A Yes.

11 MS. STEED: Leading.

12 JUDGE TONAKI: Overruled.

13 BY MR. VANDEVEER:

14 Q Are you familiar with this document?

15 A Yes, I am.

16 Q Did you read it in its entirety?

17 A I did read it in its entirety.

18 Q And who's the author of the document?

19 A This comes from the Department of Agriculture  
20 State of Hawaii, and it is in conjunction with Verily  
21 Life Sciences, one of the mosquito labs for this  
22 project.

23 Q And did you rely on this application for your  
24 testimony?

25 A Testimony to the Board of Land and Natural

1 Resources?

2 Q Yes.

3 A Yes.

4 MR. VANDEVEER: I'd ask, your Honor, if we can  
5 admit Plaintiffs' Exhibit P15 under the Public Record  
6 Rule to the hearsay exception.

7 MR. FRANKEL: Objection. Lacks foundation.

8 JUDGE TONAKI: Over the objection of Defense,  
9 P15 will be received in evidence.

10 (Plaintiffs' Exhibit 15 was received  
11 into evidence)

12 BY MR. VANDEVEER:

13 Q Are there any tables or figures in this report  
14 in this emergency exemption application that concerned  
15 you?

16 A Yes. I'm specifically focused on Table 2,  
17 Timeline of Published Studies Demonstrating Field  
18 Efficacy of Insect Incompatibility Technique Using  
19 Incompatible Wolbachia Male Releases In Mosquitoes.

20 JUDGE TONAKI: What page is she referring to,  
21 Mr. --

22 THE WITNESS: It says 18 of 43 at the top.

23 MR. VANDEVEER: I'm trying to broadcast this  
24 again if I may, your Honor.

25 JUDGE TONAKI: Yes.

1 MR. VANDEVEER: I'm hoping for better luck  
2 this time.

3 BY MR. VANDEVEER:

4 Q Okay. So on this Table 2, Ms. Lia, do you see  
5 a mosquitoes species plan for use in the project  
6 anywhere in this table?

7 A I do not.

8 Q You don't see any mosquito species in this  
9 table?

10 MS. STEED: Objection. Asked and answered.

11 THE WITNESS: Actually, there -- the three  
12 mosquito species that are planned for import in  
13 connection with this project do include *Aedes aegypti*,  
14 but in regards to the *Culex quinquefasciatus* that is  
15 planned for use in this avian malaria project, no, that  
16 is not on this chart of published studies demonstrating  
17 field efficacy of Insect Incompatibility Technique using  
18 *Wolbachia*.

19 BY MR. VANDEVEER:

20 Q So does this information comport with what  
21 you -- you understood the BNM was -- the Birds Not  
22 Mosquitoes project was saying publicly?

23 A Not at all.

24 Q Did it comport with anything that you were  
25 reading that they had put out?

1           A     It did not.  It actually was counter to the  
2 narrative that they presented to the public.

3           Q     Can you explain -- can you explain, did this  
4 concern -- did this concern you?

5           A     Yes, very much.

6           Q     Why was that?

7           A     One, because again it appeared that this  
8 project was experimental and had never been done before  
9 using this culex quinquefasciatus mosquito and, two,  
10 because the information had been misrepresented to the  
11 public -- and I will put it nicely as misrepresented to  
12 the public.

13          Q     Looking at this same Table 2, what do you see  
14 is the largest project area that's documented to date?

15          A     724 acres.

16          Q     And what project is that for?

17          A     That's in Fresno, California.  And through my  
18 research I found it to be called the Fresno Debug or  
19 Debug Fresno Program.

20          Q     What's the species of mosquito that's listed  
21 there for the Fresno project?

22          A     It is the Aedes aegypti.

23          Q     Did you see an EPA expected accidental release  
24 rate for females lab-infected mosquitoes in this  
25 application?

1 A Yes, I did.

2 Q And what was the EPA's expected female release  
3 rate?

4 A One female for every 250,000 males.

5 Q Was this information concerning to you?

6 A Very concerning.

7 Q Why?

8 A Because I knew that the project area was  
9 64,666 acres and that they would be releasing up to  
10 6,000 mosquitoes per acre up to twice per week, which  
11 meant 775,992,000 mosquitoes released weekly, and with  
12 that one female for every 250,000 males, that meant over  
13 3,000 females were allowed to be released weekly on Maui  
14 for the next 20 years and possibly beyond, based on  
15 further documents that I found.

16 Q And why is that concerning to you?

17 A Because females bite and breed and spread  
18 disease, and because it was misrepresented again to the  
19 public that only males would be released. Repeatedly  
20 that has been said.

21 Q Did you research any other websites -- I'm  
22 sorry. Did you research any other authorities to  
23 confirm the information?

24 A I went directly to the EPA website to see if  
25 there was any updated information and, indeed, it said

1 on their website one female for every 250,000 males was  
2 the expected release rate -- accidental release of  
3 females with Wolbachia IIT mosquitoes.

4 MR. VANDEVEER: Your Honor, I'd like to show  
5 the witnesses P16 to lay foundation to admit into  
6 evidence. P16.

7 May I approach?

8 JUDGE TONAKI: Yes.

9 BY MR. VANDEVEER:

10 Q Do you recognize this document?

11 A Yes, I do.

12 Q What do you recognize it as?

13 A This is from the EPA website in reference to  
14 emerging mosquito control technologies with a specific  
15 section about the Wolbachia mosquitoes.

16 Q And is it clear to you from this website who  
17 the author was?

18 A I don't know that a name is put to -- usually  
19 the federal documents, it generally comes as authored  
20 from the agency, so I would say the Environmental  
21 Protection Agency is the author of this document.

22 Q And you said you went to the website. Do you  
23 recall what date you went to the website?

24 A Well, it would have to have been after  
25 October 28th, 2022.

1 Q And why is that?

2 A That's the date on the Department of  
3 Agriculture Emergency Exemption Application to the EPA,  
4 and that's what led me to go to the EPA website.

5 Q And is there a date listed there on the  
6 website?

7 A There was a date -- because I had referenced  
8 this on my website. Our organization, Hawaii Unites,  
9 compiles all of these documents and I did put a date at  
10 the time to show when this was most recently updated.  
11 And at this point now it says last updated July 11th,  
12 2023. I think previously I would have had a date closer  
13 to the time that I looked at it, but the information is  
14 the same.

15 Q So on review of that document, the information  
16 is the same as you would have seen it at the time you  
17 researched?

18 A Yes, it's the same information for that  
19 section. There's -- there's other mosquito technologies  
20 referenced and I can't say whether those have changed,  
21 but that section with the one female for every 250,000  
22 males is the same.

23 Q And that informed your research that you had  
24 done pertaining to the EPA Emergency Exemption  
25 Application; Is that correct?



1           A     Yes.

2           MR. VANDEVEER:  Your Honor, I'd like to admit  
3 Exhibit P15 as a public record exception to the hearsay  
4 rule.

5           JUDGE TONAKI:  Mr. Frankel?

6           MR. FRANKEL:  Objection, your Honor.  Lacks  
7 foundation.  Lacks authenticity.  Lacks relevance.

8           (inaudible whispering)

9           MR. VANDEVEER:  I'm sorry.  It's P -- I'm so  
10 sorry, P16, that's correct.

11          JUDGE TONAKI:  Over the objection of  
12 Defendant, P16 will be received into evidence; however,  
13 the copy -- I don't know if it's missing a page, but  
14 under the -- there's a heading, "Will this technology  
15 adversely affect human health and the environment?"  And  
16 the last line -- see that page?

17          MR. VANDEVEER:  Yes.

18          JUDGE TONAKI:  The last line says, "The  
19 expected accidental release rate of one Wolbachia  
20 infected female for" -- and then the next page, "will  
21 cause" --

22          (Inaudible whispering)

23          JUDGE TONAKI:  -- "unreasonable -- adversely  
24 effects humans or the environment," so I don't know if  
25 there's a missing page.

1 MS. STEED: Your Honor, respectfully we would  
2 reassert our objection as to offer -- offer misstates  
3 (inaudible) information.

4 MR. VANDEVEER: I'm sorry, your Honor. I'll  
5 withdraw this document for now.

6 JUDGE TONAKI: Okay. Yeah.

7 MS. STEED: Thank you.

8 JUDGE TONAKI: Okay. So P16 is withdrawn.

9 BY MR. VANDEVEER:

10 Q Did you submit any comment to the EPA for the  
11 State of Hawaii Department of Agriculture's Emergency  
12 Exemption Application?

13 A Yes, I did.

14 Q Do you recall when you submitted the comment?

15 A I believe those comments were due in January  
16 of this year, so it would have been in January, some  
17 time before the 24th, I think, was the date for that.

18 Q Do you recall if you received any response to  
19 that?

20 A I received some kind of a confirmation that my  
21 comment had been received, but a response, no. But  
22 actually in -- when this EPA exemption was approved,  
23 they did address the comments, so indirectly I guess  
24 there was some response.

25 Q Do you recall what the response was?

1           A     I don't at this time, but I do have those  
2 documents where comments are addressed. I think it  
3 probably said something similar to what it says here,  
4 which there are a couple of lines missing. I don't know  
5 what happened here, but between the two pages where it  
6 says, "Likely not to have significant effect on humans."

7           Q     You mentioned earlier that you had read the  
8 draft environmental assessment for Maui, correct?

9           A     Yes.

10          Q     The entire draft?

11          A     Yes.

12          Q     Did you see any information about the rate of  
13 release of accidental -- I'm sorry, the rate of  
14 accidental release of lab-infected female mosquitoes?

15          A     No.

16          Q     Did you see it in the final environmental  
17 assessment?

18          A     No.

19                MR. VANDEVEER: I'd like to enter into  
20 evidence -- well, it's already been entered into  
21 evidence, your Honor, stipulated Plaintiffs' Exhibit 1,  
22 the final environmental impact assessment.

23                JUDGE TONAKI: Okay.

24                MR. VANDEVEER: May I approach, your Honor?

25                JUDGE TONAKI: Yes.

1 BY MR. VANDEVEER:

2 Q Just to be clear, we're speaking about -- the  
3 questions I'll be asking about the final environmental  
4 impact statement that's before you, Plaintiffs' Exhibit  
5 Number 1.

6 A Yes.

7 Q Upon reading the final environmental impact --  
8 I'm sorry, final environmental assessment, were you able  
9 to ascertain the number of male mosquitoes that would be  
10 allowed to be released on Maui?

11 A No.

12 Q Were you able to calculate the number?

13 A Based on the environmental assessment, no.

14 Q Were you able to use the information available  
15 to come up with any sort of approximation of the amount  
16 of male mosquitoes that would be released?

17 A By cross referencing their own document here  
18 applying for the emergency exemption through the EPA and  
19 then again the EPA website, I was able to calculate that  
20 over 3,000 females would be allowed to be released on  
21 Maui weekly.

22 Q But again, that number was your calculation  
23 based on information, not a total number that was  
24 provided in the EA?

25 A Yes, that's a calculation based on the numbers

1 provided in the EA for the project area and for the  
2 maximum number of mosquitoes to be released per week and  
3 how many times per week, and then using the reference of  
4 the one in 250,000 to make that calculation myself.

5 Q And what was the number you came up with for  
6 the number of male mosquitoes that would be released in  
7 Maui every week?

8 A 775,992,000 is the high end of what could be  
9 released.

10 Q And what is that based upon?

11 A That's based upon the 64,666-acre East Maui  
12 project area, up to 6,000 mosquitoes released per acre  
13 per week up to twice a week.

14 Q And just to be clear, the total number you  
15 came up with is not provided -- the number you just gave  
16 is not provided in the EA?

17 A The 775 million --

18 Q Correct.

19 A -- 992,000? No that was not provided as a  
20 figure in the EA, no.

21 Q And you mentioned that you had calculated the  
22 number of accidentally released female mosquitoes based  
23 upon the emergency application we discussed earlier as  
24 well as the EPA website.

25 What was the number that you came up with for

1 the project on Maui?

2 MS. STEED: Objection. Asked and answered.

3 JUDGE TONAKI: Overruled.

4 THE WITNESS: It was over 3,000 and for sure  
5 it was over 3,100. I'm not positive if it was 3,104,  
6 but that feels like what the number may have been, but  
7 over 3100 for sure.

8 BY MR. VANDEVEER:

9 Q Why is that important?

10 A Because the females bite and they breed and  
11 they spread disease, and because it was misrepresented  
12 to the public that only males would be released.

13 Q Did reading the draft environmental assessment  
14 make you feel better about the project?

15 A The draft environmental assessment, as opposed  
16 to the final? No, it made me feel a lot worse about the  
17 project, actually.

18 Q Did the final environmental assessment change  
19 your opinion of it?

20 A Not at all. Actually, again, it made me feel  
21 worse that they were not addressing these concerns  
22 seriously.

23 Q Was there anything in the final environmental  
24 assessment that made you worry about birds in  
25 particular?

1           A     In the final environmental assessment, there  
2 are specific things in there talking about the drones  
3 and the helicopters, and how they might affect the  
4 birds, and that had a lot to do with noise disturbances.  
5 There's a chart in there showing that up to 134 drone  
6 flights are going to happen per week across that  
7 conservation area and the project area as a whole. And  
8 they also mentioned that the drones could affect the  
9 nesting and roosting of the birds, and the -- I'm trying  
10 to think what they called it with the Hawaiian hoary  
11 bats -- we're just talking about the birds right now.

12                     The helicopter rotor wash, which is the wind  
13 coming down from the helicopter propellers, could affect  
14 the birds. It talked about something along the lines of  
15 the stress of the noise disturbances with those drones  
16 and helicopters, and it also specifically mentioned that  
17 it was possible that a drone could hit a flock of birds.

18           Q     And from your reading of the final  
19 environmental assessment, were you able to ascertain how  
20 long this project would continue?

21           A     Yes, there's a specific line in there where it  
22 says likely at least 20 years.

23           Q     And where is that in the final environmental  
24 assessment?

25           A     I'll have to think about that. It's in

1 relation to something specific that I was looking at  
2 frequently.

3 Oh, it has to do with the mosquito -- the  
4 packaging for the mosquitoes. They're going to be  
5 released in packages, and it was talking about how long,  
6 you know, that effect would be happening. I believe it  
7 has to do with the packages that would be littering the  
8 forest for at least 20 years.

9 Q So did you have any concerns about the broader  
10 environment besides the birds?

11 A Yeah, I had concerns about not just the  
12 mosquitoes and the bacteria, but just the impact of this  
13 project with all of these drones and human activity and  
14 helicopters and packaging that, you know, is allegedly  
15 biodegradable, but they have no information on how long  
16 that takes to degrade, and at 134 drone flights a week  
17 with -- we don't know how many packages, but at least  
18 that many constantly overlapping, there's going to be a  
19 constant littering to the forest and --

20 MS. STEED: Objection. Facts not in evidence  
21 as to how frequently the packages are actually going to  
22 be released.

23 JUDGE TONAKI: Sustained.

24 MS. STEED: Thank you.

25



1 BY MR. VANDEVEER:

2 Q Did you submit a comment on behalf of Hawaii  
3 Unites for the draft environmental assessment?

4 A Yes, I did.

5 Q And do you recall when you submitted that  
6 comment?

7 A I want to say that was in January as well.

8 Q And did you state the concerns that we've  
9 talked about here today in that comment?

10 A Yes.

11 Q Were there any additional concerns that you  
12 shared?

13 A Yes, I shared several pages worth. I think it  
14 was an eight-page comment, single-spaced.

15 Q Do you recall what some of the other concerns  
16 were?

17 A All of the concerns that we've talked about  
18 here in my testimony today and additional other  
19 concerns, some having to do with things that were  
20 discussed specifically in the environmental assessment  
21 itself, like wildland fires and effects on the native  
22 plants and on the character of the wilderness.

23 There were a number of things in their chart  
24 within the draft environmental assessment that I was  
25 also concerned about.

1           And I also brought up concerns about Native  
2 Hawaiian environmental justice because I felt that this  
3 project hadn't really taken into account the perspective  
4 of that community, and the fact that there would be  
5 potential implications to their health since they are  
6 living up against the project area, a lot of native  
7 Hawaiians. And they hunt, you know, they use the  
8 resources there for feeding their families and I was  
9 concerned about that.

10           Q     Did your comment on the draft environmental  
11 assessment include a request that an environmental  
12 impact statement be completed for the project?

13           A     Yes, it did.

14           Q     Did you submit written testimony for the Board  
15 of Land and Natural Resources' meeting that took place  
16 on March 10th of this year?

17           A     Yes, I did.

18           Q     Did you also submit oral testimony via Zoom  
19 for that meeting?

20           A     Yes, I did.

21           Q     Did you state some of these same concerns that  
22 you've discussed today from your environmental -- I'm  
23 sorry, from your comments on the draft EA in that  
24 meeting?

25           A     Yes.

1 Q Including the need for an environmental impact  
2 statement?

3 A Yes.

4 Q Were your concerns addressed at that meeting?

5 A No, they were not.

6 Q Were the concerns documented in your comment  
7 adequately addressed in the final environmental  
8 assessment?

9 MR. FRANKEL: Objection. Calls for legal  
10 conclusion.

11 JUDGE TONAKI: Overruled.

12 THE WITNESS: No, they were not.

13 BY MR. VANDEVEER:

14 Q Looking at Appendix X of that document,  
15 Exhibit P1, I'll try to pull it up here as well.

16 A Did you say Appendix X?

17 Q Yes, it would be the very end of the document.

18 A I didn't think it went that far, but okay.  
19 What is the title of that appendix?

20 Q Responses to Substantive Public Comments.

21 A Okay. I think that's Appendix H, actually.

22 Q I'm sorry. Did I -- I'm sorry. That's what I  
23 meant, Exhibit H, not X. Okay.

24 JUDGE TONAKI: What page?

25 MR. VANDEVEER: It's going to go from pages --

1 THE WITNESS: I got it. I found it.

2 BY MR. VANDEVEER:

3 Q And this is the PDF page 260 to 276, and it's  
4 up on the screen now.

5 Yeah, I'm not going to touch it.

6 Okay. Ms. Lia, can you tell -- can you tell  
7 where your comment was responded to in this section  
8 Appendix X, Responses To Substantive Comments On  
9 Environmental Assessment?

10 MS. STEED: Objection, Appendix H.

11 BY MR. VANDEVEER:

12 Q I'm so sorry, Appendix H.

13 A Oh, I can't really tell because they appear to  
14 have mixed comments together and summarized them, and  
15 nothing is identified here, so no.

16 Q Was the full text of your comment or any full  
17 text of your comment included in Appendix H?

18 A No.

19 Q Was your name included as a commenter under  
20 the topic heading of any of the issues you raised in  
21 Appendix H?

22 A No, it was not.

23 Q Did you feel that you -- that your comments  
24 clearly received a substantive response in this final  
25 environmental assessment?

1           A     No, I did not.

2           Q     And how did that make you feel?

3           A     I felt that the public participation process  
4 was not being honored, and I felt that our concerns were  
5 not being taken seriously, and I felt very much that  
6 this decision to do this project had been pre-decided  
7 and that they were working the documents around that  
8 decision that had already been made, and I felt there  
9 hadn't been enough study.

10          Q     Okay, is it okay -- Judge, can we take a brief  
11 recess? Is that okay?

12           JUDGE TONAKI: Yes, take a ten-minute recess.

13           MR. VANDEVEER: Thank you.

14           THE BAILIFF: All rise. The Court is in  
15 recess.

16                   (Recess was taken)

17           THE BAILIFF: All rise. This Court is  
18 reconvened. You may be seated.

19           JUDGE TONAKI: Back on the record in Hawaii  
20 Unites versus the DLNR.

21           Ms. Lia is still on the -- may the record  
22 reflect the presence of parties and counsel, and Ms. Lia  
23 is still on the witness stand.

24           Mr. Vandever, continue.

25           MR. VANDEVEER: Thank you, your Honor.

1 BY MR. VANDEVEER:

2 Q Ms. Lia, we were talking about Appendix H to  
3 the final environmental assessment plan for the Maui  
4 Wolbachia mosquito experiment.

5 Did you expect to see your name in the comment  
6 section, Appendix H?

7 A I'm not sure at the time that I expected -- I  
8 knew what to expect at all from that response process.  
9 I learned later that it should have been in there, it  
10 appears, but no, at the time I did not know what to  
11 expect.

12 Q Did you see the names of any of the members of  
13 Hawaii Unites in this comment section?

14 A No.

15 Q Turning to concern number 20 in this appendix,  
16 earlier you mentioned that you had commented on the  
17 mosquito packaging for the project area; is that  
18 correct?

19 A Yes.

20 Q And can you read the response here under  
21 concern number 20, just the first paragraph if you  
22 would? I'm sorry. Just a moment.

23 Just a moment, please.

24 That's right, the first paragraph if you  
25 would.

1           A     It says, "Although the final design has not  
2     been decided upon, agency and private partners are  
3     committed to designing release packaging that is  
4     suitably biodegradable and will maintain biosecurity  
5     protocols; however, until a final product is designed,  
6     specific to K rates or other relevant variables are not  
7     known, as strict biosecurity protocols will be followed,  
8     the release packets present no risk to the environment,  
9     although many thousands of release packets would be  
10    dropped across the project area throughout the duration  
11    of the project. The small packets would be spread  
12    diffusely and the biodegradable material would decompose  
13    quickly, thus the impact to the environment would be  
14    negligible."

15           Q     In the impact mitigation section of this  
16    document -- this is not Appendix H any more -- do you --  
17    from your reading of this document, did you see any  
18    mitigation protocols for horizontal transfer?

19           A     No. No, I did not.

20           Q     Did you see any of the literature that you  
21    referenced earlier in your comments included in the full  
22    environmental impact assessment?

23           A     Not the literature itself. I believe I was  
24    able to find reference to one article just in a  
25    citation, which I assume is one of the articles that I

1 submitted, but no, the articles themselves, no, and no  
2 actual reference to the text of the articles.

3 Q Did you attend -- attend any Birds Not  
4 Mosquitoes public outreach events to ask questions?

5 A Yes, I did.

6 Q And did you receive answers to your questions?

7 A I did receive answers to my questions, yes.

8 Q So they addressed your concerns?

9 A Not adequately, but they did make an attempt  
10 to answer the questions as best they could, being that  
11 it wasn't -- many times it wasn't the scientists  
12 representing the project, and other times we were not  
13 able to interact; it was via Zoom and questions were  
14 read and responded to, but no, I did not feel that my  
15 questions were adequately responded to at any of those  
16 meetings.

17 Q And do you feel like your questions were  
18 adequately responded to in this final environmental  
19 assessment?

20 A No, I don't.

21 Q At this point do you have any additional  
22 concerns since the environmental assessment came out,  
23 the final?

24 A Yeah, I have several concerns with additional  
25 documents that we've uncovered connected to the project



1 and the bigger project as a whole.

2 Q Can you describe those?

3 A One of the main concerns that I have now is  
4 that we recently have un -- I want to say uncovered but  
5 it's the public document so, you know, it was out there,  
6 but it was not presented to the public until just  
7 recently -- found out that the Department of Land and  
8 Natural Resources already has a lab that's been funded  
9 to build out the insect area to mass produce these  
10 Wolbachia mosquitoes which, to me, is another conflict  
11 of interest tied to the same agencies. You know, the  
12 agency that proposed the project, their board voted it  
13 through and it turns out they're benefiting through this  
14 lab that, it says in the document, wants to do this in  
15 perpetuity, so forever.

16 And it also talks about advancing into  
17 generically modified PGSIT, Precision Guided Sterile  
18 Insect Technique crisper (sic) technology with the  
19 mosquitoes, and they want to produce those in the lab as  
20 well.

21 There are a few other issues in that document  
22 that were of concern, but those were the main ones.

23 JUDGE TONAKI: I'm sorry. Ms. Lia, can you  
24 keep your voice up, please?

25 THE WITNESS: Louder?

1 JUDGE TONAKI: Yes, a little louder.

2 THE WITNESS: Yes.

3 BY MR. VANDEVEER:

4 Q Based on your review of the final  
5 environmental assessment, was this laboratory that you  
6 just described mentioned in that document?

7 A It was not mentioned in that document, no.  
8 There was reference to potentially -- if there was a lab  
9 here on the Islands, they could expedite the process of  
10 something to do with the -- it was very vaguely  
11 mentioned that if there was a lab on the Islands, but  
12 no, the Department of Land and Natural Resources lab  
13 with insectary mass-producing these mosquitoes was  
14 absolutely not mentioned in that document.

15 Q Ms. Lia, do you feel that this project will  
16 significantly impact the environment of your home?

17 A Very much. That's why I have committed hours  
18 of my life for the last year to make sure that it's done  
19 right.

20 MR. VANDEVEER: Thank you, your Honor. No  
21 further questions at this time.

22 JUDGE TONAKI: Ms. Steed, cross-exam?

23 MS. STEED: Yes. Thank you, your Honor. Just  
24 a few questions.

25 We're going to project exhibits so --

1 MR. FRANKEL: Okay.

2 MS. STEED: -- yeah.

3 MR. VANDEVEER: And she has a copy of the --  
4 you're talking about 1?

5 MS. STEED: Yeah. Yeah, Exhibit 1. I just  
6 want to pull it up for everyone in the gallery.

7 (inaudible whispering)

8

9 CROSS-EXAMINATION

10 BY MS. STEED:

11 Q Okay. Ms. Lia, do you still have Plaintiffs'  
12 Exhibit 1 in front of you?

13 A Yes.

14 Q Okay.

15 A Yeah.

16 Q I am on PDF page 260 where it begins with the  
17 concerns.

18 Do you see where I'm at?

19 A Yeah.

20 Q Okay.

21 A Yes.

22 Q Okay. And I'm looking at concern number 1.

23 Do you agree that concern number 1 was about  
24 whether or not an environmental impact statement should  
25 have been prepared?

1 A Yes.

2 Q Okay. Now I'm looking at -- same page, I'm  
3 looking at comment number 2.

4 Do you agree that comment number 2 was about  
5 the concern of potential impacts to public health and  
6 safety?

7 A Yes.

8 Q I'm now on PDF page 261. I'm looking at  
9 concern 4.

10 Do you agree that concern 4 addressed  
11 generically modified organisms or bio-engineered  
12 organisms?

13 A Yes, but that was not one of my concerns.

14 Q But just to clarify, you testified earlier  
15 that that had been a concern raised in June of 2022?

16 A Before the Birds Not Mosquitoes agencies  
17 presented the information about the Wolbachia  
18 mosquitoes, that had been a concern in the community.  
19 There was a misperception.

20 Q Thank you --

21 A Yeah.

22 Q -- Ms. Lia.

23 Okay. Okay. I am now on page 263 looking at  
24 concern 7.

25 Do you agree that concern 7 was about whether

1 or not alternatives were adequately addressed?

2 A Could you repeat the question, please?

3 Q Do you agree that concern 7 was about whether  
4 or not alternatives were adequately addressed?

5 A Yes.

6 Q Okay. I'm now on PDF page 265 looking at  
7 concern 9.

8 Do you agree that concern 9 was about whether  
9 or not there had been sufficient time to study the  
10 proposed action?

11 A I don't believe it's worded specifically that  
12 way.

13 Q Do you understand it to have a different  
14 meaning?

15 A I would say that it specifically says there  
16 had been insufficient study of the proposed action, and  
17 then it says it would be a rash decision, which you  
18 could reference as a timeframe, but the theme of the  
19 comment appears to be about the insufficient study of  
20 the proposed action.

21 Q Okay. Do you agree that comment concern 9  
22 addressed whether or not there was insufficient study of  
23 the proposed action?

24 A Whether the topic is addressing that as  
25 opposed to whether it has been addressed in the --

1 Q Whether that is the topic of concern.

2 A That is the topic, yes.

3 Q Thank you.

4 I'm now on PDF page 266. I'm looking at  
5 concern 10.

6 Would you agree that concern 10 addresses the  
7 concern raised that the Wolbachia bacteria would be a  
8 foreign introduction into the environment?

9 A Yes, that's what the concern --

10 Q Yes?

11 A -- topic appears to be, yes.

12 Q And on the same page looking at concern 11, do  
13 you agree the concern 11 addressed concerns raised that  
14 the proposed project would be an experiment?

15 A That is the topic, yes.

16 Q And now PDF page 267, I'm looking at  
17 concern 12.

18 Do you agree that concern 12 was addressing  
19 the concerns raised about female mosquitoes potentially  
20 being released?

21 A Could you repeat that question?

22 Q Do you agree that concern 12 was about the  
23 concerns raised over female mosquitoes potentially being  
24 released?

25 A The topic is about some concerns related to

1 female mosquitoes being released, not all concerns, but  
2 it is --

3 Q Do you agree that concern 12 was about  
4 concerns raised over females being released?

5 A I would agree that it is related to some  
6 concerns about female mosquitoes being released, some of  
7 the concerns that were expressed by commenters.

8 Q Okay. Do you agree on page -- PDF page 268  
9 that concern 13 addresses concerns raised about the risk  
10 of increasing transmission of certain diseases?

11 A Yes, that's the topic.

12 Q And on PDF page 269 looking at concern 14, do  
13 you agree that concern 14 addresses the concerns raised  
14 that the Wolbachia would infect other insect species  
15 with Wolbachia via horizontal transfer?

16 A That is the topic, yes.

17 Q And on PDF page 270 looking at concern 15, do  
18 you agree that concern 15 addressed concerns raised  
19 about horizontal gene transfer from the mosquitoes being  
20 released?

21 A It addresses horizontal gene transfer, but in  
22 regards to any information that I would have brought  
23 concerns up, I don't think it's accurate, so I'm not  
24 sure how to answer that question.

25 Q My question is just, you know, in looking at

1 concern 15, regardless if -- if you -- regardless of  
2 whether or not it adequately addresses it, do you agree  
3 that it addresses the concern of horizontal gene  
4 transfer?

5 A It appears to be addressing horizontal gene  
6 transfer as a concern.

7 Q Okay. And looking on page -- PDF page 271  
8 concern 16, do you agree that concern 16 is addressing  
9 the concerns raised over Native Hawaiian concerns?

10 A Yes, I would agree that's the topic.

11 Q Okay. Now I'm on page 274 looking at  
12 concern 20.

13 Do you agree that concern 20 is about the  
14 environmental effects of dropping mosquito packaging in  
15 the project area?

16 A Yes, that's the topic.

17 Q Okay. And going back up to PDF page 273,  
18 looking at concern 17, do you agree that concern 17 is  
19 about concerns that there was additional literature to  
20 be reviewed?

21 A Could you state that again?

22 Q Sure. Would you agree that concern 17 is  
23 about whether or not additional literature was reviewed  
24 by the agency?

25 A I would say that appears to be the topic, yes.



1 Q Okay. And on that same page looking at  
2 concern 18, do you agree that concern 18 addresses the  
3 concerns raised over wildland fires potentially ignited  
4 by drones and helicopters?

5 A That is the topic yes.

6 Q And looking on PDF page 275 at concern 23, do  
7 you agree that that concern addresses -- that that --  
8 that addresses the concern raised that there would be an  
9 adverse impact under the no action alternative to  
10 visitors at the wilderness?

11 A That appears to be the topic.

12 Q Okay.

13 A I'm not familiar with this.

14 Q I'll withdraw --

15 A This is -- yeah, I'm not --

16 Q Okay. That's fine. I'll withdraw the  
17 question.

18 A Okay.

19 Q PDF page 275, looking at concern 24, do you  
20 agree that it addresses the concern that there could be  
21 unanticipated outcomes and that a monitoring and  
22 response plan will be implemented?

23 A It doesn't address those concerns because  
24 there is no plan, but that appears to be the topic.

25 Q Would you agree that that's the topic of

1 concern in --

2 A That is the topic, yes.

3 Q Okay. Now, Ms. Lia, previously in your  
4 testimony, is it correct that you testified that you  
5 recreate in the Makawao Forest Reserve area; is that  
6 correct?

7 A Yes.

8 Q Now, when you're recreating in the Makawao  
9 Forest area, have you ever seen honeycreepers?

10 A I think I have in the past. I'm not a bird  
11 expert so I don't know that I would know specifically if  
12 it was a honeycreeper or some type of finch maybe, but I  
13 think I likely have.

14 Q Do you -- so you wouldn't know the identity  
15 though?

16 A At this point, I might. I might. But, you  
17 know, in the past, I can't say I would have known  
18 specifically if it was a honeycreeper.

19 Q Do you have a favorite honeycreeper?

20 A I'm going to say the i'iwi is the one that  
21 I -- actually I do think I have seen the i'iwi in  
22 Hosmer's Grove previously, so that probably would be a  
23 favorite, based on the fact that that's one I'm familiar  
24 with.

25 Q And why is the i'iwi your favorite

1 honeycreeper?

2 A Why is that my favorite honeycreeper?

3 Q Well, that was the question I asked.

4 A I mean, I don't know that I have a favorite.  
5 I'm not a bird-watcher, so I just like -- appreciate  
6 wildlife and love animals and birds, and I don't  
7 necessarily have a favorite honeycreeper, but if -- you  
8 know, if you were to say, "You have to pick a favorite,"  
9 it would be one that I had seen before and that I  
10 recognized readily.

11 Q Would it --

12 A So yes.

13 Q -- be fair to say that in general you have an  
14 appreciation for honeycreepers?

15 A Yes.

16 Q How would you feel if the honeycreeper went  
17 extinct?

18 A I would be sad if the honeycreeper went  
19 extinct. I would, of course, be sad. They're  
20 endangered and they're beautiful and they're connected  
21 to the culture and ancestry of the Islands.

22 MS. STEED: All right. Thank you.

23 No further questions from the State subject to  
24 recall. I do think that my co-Defendants have a couple  
25 cross.

1 JUDGE TONAKI: Okay.

2 MR. FRANKEL: Thank you.

3

4 CROSS-EXAMINATION

5 BY MR. FRANKEL:

6 Q You testified earlier that you found out about  
7 this project from your network that keeps you  
8 informed --

9 A Yes.

10 Q -- Ms. Lia?

11 Is that network -- is that network concerned  
12 about the Covid vaccine?

13 A They're concerned about a lot of different  
14 issues, including, you know, our freedoms and our health  
15 freedoms and -- yeah.

16 Q So concerned about the Covid vaccine?

17 A I would say that's a topic of concern for that  
18 particular network, yeah.

19 Q And Bill Gates?

20 A I would say that's a topic of concern for that  
21 network, yes.

22 Q And George Soares?

23 A Yes.

24 Q Okay.

25 A A topic of concern.

1 Q And you're opposed to this project, right?

2 A I am opposed to this project as it's been  
3 presented, yes.

4 Q And you'd be opposed regardless of what an  
5 environmental impact statement would say?

6 A I don't know because we don't have an  
7 environmental impact statement so I can't answer that.  
8 You know, how would I know what would be presented in  
9 that document?

10 Q So there could be an EIS that had information  
11 and you would support this project?

12 MR. VANDEVEER: Objection, your Honor. Calls  
13 for speculation.

14 JUDGE TONAKI: Overruled.

15 THE WITNESS: I don't know how to answer that  
16 without seeing the EIS.

17 BY MR. FRANKEL:

18 Q All right. You're not trained as a biologist,  
19 are you?

20 A No, I'm not.

21 MR. FRANKEL: Okay. No further questions,  
22 Thank you, your Honor.

23 JUDGE TONAKI: Thank you.

24 Any redirect, Mr. Vandever?

25 MR. VANDEVEER: Yes, your Honor.

1 REDIRECT EXAMINATION

2 BY MR. VANDEVEER:

3 Q Ms. Lia, earlier when Attorney Steed was  
4 talking about Exhibit Number 1, the final environmental  
5 assessment, whereas you would agree that the comments  
6 were topics that were identified by Attorney Steed, do  
7 you mean to agree that you felt the concerns raised were  
8 clearly addressed?

9 A Could you repeat that? Attorney -- what are  
10 we --

11 Q You agreed that the comments that she took you  
12 through were topics that were identified.

13 Did you mean to agree that you also felt that  
14 the concerns that were raised were clearly addressed?

15 A No. I was just confirming that those appeared  
16 to be the topics that were discussed in the Appendix H  
17 under those numbers.

18 Q And when you submitted comments on the draft  
19 environmental assessment, did you expect those comments  
20 to be addressed in the final environmental assessment,  
21 or did you expect a state attorney to sift through them  
22 with you later?

23 A I had hoped that they would be addressed in  
24 the final environmental assessment.

25 MR. VANDEVEER: Thank you, your Honor. No

1 further questions.

2 JUDGE TONAKI: Ms. Steed, any other questions?

3 MS. STEED: Nothing from the State.

4 JUDGE TONAKI: Mr. Frankel?

5 MR. FRANKEL: No.

6 JUDGE TONAKI: Okay. Thank you, Ms. Lia.

7 You're excused as a witness.

8 (The witness was excused)

9 JUDGE TONAKI: Ms. Steed, do you need a  
10 brief --

11 MS. STEED: Yes.

12 JUDGE TONAKI: Oh, I'm sorry, Mr. Vandever.

13 MR. VANDEVEER: I was just going to say no  
14 further witnesses for the Plaintiff, your Honor.

15 JUDGE TONAKI: Plaintiff rests.

16 Ms. Steed, do you need a --

17 MS. STEED: Yes. If we could take a brief  
18 recess?

19 JUDGE TONAKI: The Court will take a  
20 ten-minute recess.

21 MS. STEED: Thank you.

22 JUDGE TONAKI: The Court will stand in recess.

23 THE BAILIFF: All rise. The Court stands in  
24 recess.

25 (Recess was taken)

1 THE BAILIFF: You may be seated.

2 JUDGE TONAKI: We're back on the record in  
3 Hawaii Unites versus DLNR.

4 The record will reflect the presence of the  
5 parties, counsel.

6 And Ms. Steed, your first witness?

7 MS. STEED: Yes. Thank you, your Honor.

8 The State would like to call Nicole Ferguson  
9 to the stand as our first witness.

10 JUDGE TONAKI: Okay.

11 We'll administer the oath, if you could stand?

12 THE CLERK: Do you solemnly swear or affirm  
13 that the testimony you're now about to give shall be the  
14 truth, the whole truth and nothing but the truth?

15 THE WITNESS: I do.

16 THE CLERK: Thank you.

17 JUDGE TONAKI: Ms. Ferguson, if you could  
18 speak clearly and loudly into the microphone?

19 THE WITNESS: How's that?

20 JUDGE TONAKI: Ms. Steed?

21 MS. STEED: Thank you.

22

23 NICOLE FERGUSON,

24 having first been duly sworn, was

25 examined and testified as follows:



DIRECT EXAMINATION

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

BY MS. STEED:

Q All right. Good afternoon, Ms. Ferguson.  
Thank you for being with us here today.

I'd like to start by asking you to state your  
name for the record.

A Hi. Yes, my name is Nicole Ferguson.

Q Oh, and just a quick little warning with the  
microphone, if you get a little bit too close, there's  
feedback so you have to find the exact right spot.

A Okay. Thank you.

Q Okay. And are you employed?

A Yes.

Q Where are you employed?

A I'm currently with the Maui Forest Bird  
Recovery Project.

Q What is your position there?

A I'm the field supervisor for the Mosquito  
Control and Research position.

Q And how long have you been in that position?

A I started there in March of this year.

Q And prior to working at Maui Forest Bird  
Recovery, where did you work?

A I worked for the Department of Health.

Q And how long did you work at the Department of

1 Health?

2 A I worked for the Department of Health for five  
3 months.

4 Q And what was your position there?

5 A My position there was entomologist.

6 Q And prior to working at the Department of  
7 Health, where did you work?

8 A Prior to Department of Health, I was -- right  
9 before that, I was with Hike Maui.

10 Q And what did you do at Hike Maui?

11 A I was a nature guide.

12 Q And prior to that, where did you work?

13 A Prior to that, I worked for Mauna Kahalawai  
14 Watershed Partnership.

15 Q And what was your role there?

16 A So the role there encompassed a lot of  
17 different activities, but it was focused on field  
18 conservation and biology in the West Maui Mountains,  
19 also known as Mauna Kahalawai. That work included  
20 things like insect releases for bio control.

21 Q And did you have another job before that?

22 A Yes. I've had several other jobs before that,  
23 including working for the University of Hawaii.

24 Q And what did you do for the University of  
25 Hawaii?

1           A     So I've done several roles with the University  
2 of Hawaii, including teaching in the University of  
3 Hawaii system for five years. During that time, I  
4 taught courses including zoology and including  
5 microbiology. During my time with the University of  
6 Hawaii system, I also led student research on insects,  
7 so I was a faculty mentor during that time also.

8           Q     And while you were with the University of  
9 Hawaii system, did you work in any labs?

10          A     Yes. So I worked for the University of  
11 Hawaii, as I mentioned, in various capacities, including  
12 working in labs, including at Honolulu Community  
13 College.

14          Q     And what did you -- what was the topic of the  
15 labs you worked in?

16          A     So while at Honolulu Community College, I  
17 supervised student research. Some of that research was  
18 on termites and on termite control.

19          Q     And what is your educational background?

20          A     I have one Bachelor's degree from the  
21 University of Arizona that is in Ecology and  
22 Evolutionary Biology. I also have a Master's in Ecology  
23 and Evolutionary Biology from the University of Arizona  
24 during that time focusing on insects and entomology.  
25 And then I also have a Master's in Zoology from the

1 University of Hawaii at Manoa.

2 Q And going back to your current position with  
3 Maui Forest Bird Recovery, what do you do in your  
4 current position?

5 A I do a lot of different tasks. As the field  
6 supervisor, I lead a crew of seven, so I'm in charge of  
7 day-to-day operations, also in charge of looking at data  
8 that we receive, summarizing data, analyzing that data,  
9 making decisions based on that data. I also do a wide  
10 variety of entomological tasks, including mosquito  
11 identification, including looking at mosquito longevity,  
12 doing things like looking at mosquito health and  
13 movement, also things like deciding which microscopes we  
14 have, teaching mosquito identification and sex sorting  
15 to our field crew and to other members of Maui Forest  
16 Bird Recovery Project. I also am a member of the Birds  
17 Not Mosquito meeting groups, which has continued since  
18 my time at Department of Health, and also just doing the  
19 field releases themselves. So when we do marked release  
20 or capture studies, I'm on the ground making sure that  
21 happens to plan.

22 Q Could you please explain what marked release  
23 recapture study is?

24 A Absolutely. So the point of an MRR study,  
25 essentially, is to understand the movement of mosquitoes

1 in different environments. So in our case we're looking  
2 at the movement of mosquitoes within forest environments  
3 and so what takes place is we release a known number of  
4 mosquitoes at a set release point. We then see how far  
5 the mosquitoes travel in that forest.

6 One of the ways of doing that is establishing  
7 a trapping network, so, if you will, like a grid of  
8 traps. You know where you've released the mosquitoes,  
9 you know where you're catching the mosquitoes, and you  
10 can, therefore, establish things like average distance  
11 traveled, maximum distance traveled, et cetera.

12 Q And do you do research on Incompatible Insect  
13 Technique?

14 A I currently do research in the sense that we  
15 are deploying mosquitoes that have Wolbachia. We also  
16 do things like longevity assays, so in that sense I'm  
17 involved. Because these mosquitoes have Wolbachia, I'm  
18 currently not doing any, like, transections or anything  
19 at that level, but I am working with IIT mosquitoes at  
20 this time.

21 MS. STEED: Your Honor, the State would like  
22 to qualify this witness as an expert as to the  
23 on-the-ground operations of the project addressed in the  
24 EA as well as to how Wolbachia mosquitoes interact in  
25 the environment.

1 JUDGE TONAKI: Mr. Vandev eer?

2 MR. VANDEV EER: No objection, your Honor.

3 JUDGE TONAKI: Ms. Ferguson will be qualified  
4 as an expert in on-the-ground operations of the mosquito  
5 project and how Wolbachia -- what was the other?

6 MS. STEED: And how the Wolbachia-affected  
7 mosquitoes interact in the environment.

8 JUDGE TONAKI: Any objection?

9 MR. VANDEV EER: Yes, your Honor, no objection  
10 as long as it's limited to those two things.

11 JUDGE TONAKI: Okay. Thank you.

12 Ms. Steed, continue.

13 BY MS. STEED:

14 Q Ms. Ferguson, could you explain what  
15 Incompatible Insect Technique is?

16 A The short answer or long answer?

17 Q Start with the short answer.

18 A Okay. So incompatible -- Incompatible Insect  
19 Technique is based on the fact that Wolbachia is a  
20 reproductive manipulator. So Wolbachia is a bacteria  
21 that is inside many insects, and it seems to not  
22 actually be a pathogen. Its role inside insects is  
23 actually to affect reproduction.

24 So what we know from culex mosquitoes is that  
25 the Wolbachia inside a male has to match the Wolbachia

1 inside a female in order for them to successfully  
2 reproduce, so based on that knowledge, we can use that  
3 incompatibility to further different goals.

4 In this case, the incompatibility is used to  
5 make sure that we're reducing the population of  
6 mosquitoes. So if we have a Wolbachia male -- a male  
7 with Wolbachia and a female with Wolbachia and their two  
8 types do not match, they will mate, the female will lay  
9 eggs but those eggs do not typically hatch. So because  
10 the eggs do not hatch, you see a reduction in the  
11 population. So it's using this mismatch between the  
12 Wolbachia types in order to reduce the mosquito  
13 population.

14 Q Is Wolbachia already present on Maui?

15 A Yes.

16 Q Is it already present in the culex  
17 quinquefasciatus mosquito?

18 A Yes. So Wolbachia is present in both culex  
19 quinquefasciatus and Aedes albopictus, which are the  
20 two most numerous mosquitoes on Maui.

21 So from my time with the Department of Health  
22 Vector Control Branch, I did work with both culex and  
23 Aedes, and we found that those were the two most common  
24 mosquitoes on Maui. And those are both -- it is known  
25 that they both carry Wolbachia, and it is thought that

1 they are essentially universally infected with  
2 Wolbachia.

3 Q And when you talk about types of Wolbachia,  
4 could you explain what you mean by a type of Wolbachia?

5 A Yes. So Wolbachia, like all living organisms,  
6 shows genetic diversity. You can look at different  
7 super groups of Wolbachia, which is the very broad  
8 category. If you go more fine detailed than super  
9 group, you're looking at a strain. And if you go even  
10 further in even more detail, you're looking at  
11 isolettes.

12 Q Okay. So when you're talking about having a  
13 male mosquito with one type of Wolbachia and a female  
14 mosquito with another type of Wolbachia, are you -- of  
15 the different substance of Wolbachia you just described,  
16 which one are you talking about?

17 A So in the case of the culex Incompatible  
18 Insect Technique Project, it's looking at swapping out  
19 the strain, so it's taking culex mosquitoes that already  
20 have Wolbachia and swapping out which strain they carry  
21 to then make them incompatible with the wild females  
22 that are already present on Maui.

23 Q And how do you swap out the strain in the male  
24 mosquitoes?

25 A So the way that that process takes place is



1 that they will basically cure the mosquitoes of that  
2 infection using antibiotics. Once the infection has --  
3 the native natural-occurring infection has been cured,  
4 they then inject the desired Wolbachia into the eggs and  
5 then create a new lab strain from that. And then we now  
6 know that that lab strain now no longer matches the wild  
7 type or the type that's commonly found in the wild.

8 Q But does that strain have to be injected into  
9 the eggs?

10 A My understanding is that other types -- other  
11 procedures for trying to establish these infections were  
12 not successful, and so I am aware that University of  
13 Hawaii scientists tried establishing infections with  
14 this Wolbachia type for many years unsuccessfully and  
15 that's why a Mainland company, in this case Verily, was  
16 asked to step in and help, because it turns out it's  
17 really, really hard to infect mosquitoes with Wolbachia.  
18 It was attempted for many years unsuccessfully at the  
19 University of Hawaii.

20 Q Now, once you have this male mosquito from the  
21 lab that's been injected with a new strain of Wolbachia,  
22 you release it -- well, under IIT, you would release it  
23 into the wild; is that correct?

24 A That is correct.

25 Q And once it's released into the wild, does it

1 mate with wild mosquitoes?

2 A Yes. So you want it to mate with wild  
3 mosquitoes. That's the entire point of the project. We  
4 do know that the female culex mosquitoes will mate only  
5 once within their lifetime, so in the case of this  
6 project, you hope that the males go out into the wild,  
7 they find these naturally-occurring females, they mate  
8 with them and that female will then lay eggs that never  
9 hatch for her entire lifetime.

10 We know that culex females can live up to 60  
11 do 90 days, so two to three months, so if she  
12 successfully mates with one of the released males, in  
13 this case she would have infertile eggs for, in this  
14 case, two to three months and, therefore, she's taken  
15 out of the breeding population essentially.

16 Q What is the life span of the released males?

17 A So the released males, right now it looks like  
18 the average life span is under a week, so the males have  
19 a much shorter life span than the females, and so for an  
20 IIT project of this scale to work, you need constant  
21 releases since the males are dying within a week.

22 Q Now, once a male -- a lab male with a  
23 different strain of Wolbachia has mated with a wild  
24 female and the female begins laying these eggs, is it  
25 possible that any of them are going to hatch and become

1 a mosquito?

2 A So it's not impossible for that to happen, but  
3 what they've seen from these studies is that the  
4 incompatibility creates very, very low hatching rates,  
5 and so what we know is that that will then decrease the  
6 population, so it's very, very close to zero hatching  
7 rates.

8 Q And when you say these studies, are these  
9 studies that you're involved with?

10 A So I have not done any egg-hatching studies  
11 myself. That would be the job of the vendor in this  
12 case, Verily.

13 Q But you know that Verily has done these  
14 studies?

15 A Yes.

16 Q Okay. Now, when you have -- when you have  
17 this injected strain of Wolbachia in the male  
18 mosquitoes, is it possible for hatched strain of  
19 Wolbachia to get into other organisms?

20 A So the thing I'll answer to that is that this  
21 strain of Wolbachia is already very, very present on  
22 Maui, so *Aedes albopictus*, which I mentioned earlier,  
23 *Aedes albopictus* is extremely common on the island of  
24 Maui across elevations and very, very highly populated  
25 in population centers.

1           So we know that this strain of Wolbachia is  
2 present in Aedes albopictus across the island of Maui,  
3 so it's already there and it's already there in very,  
4 very large numbers. So Aedes albopictus has been on  
5 Maui for more than a hundred years. We know that this  
6 Wolbachia strain has been there essentially that entire  
7 time.

8           Q     And is there any chance that the strain of --  
9 strike that.

10           When a male -- when a mosquito already has a  
11 strain of Wolbachia, does it pass that strain onto its  
12 offspring -- offspring?

13           A     So Wolbachia is, generally speaking, passed  
14 from mothers to daughters, so when you see Wolbachia in  
15 a mosquito body, it preferentially likes to live in  
16 reproductive tissues, so the most likely spot within a  
17 mosquito body the Wolbachia is detected is the ovaries.

18           So if you have a Wolbachia-infected female,  
19 you will generally find Wolbachia in her ovaries. When  
20 she creates eggs, the Wolbachia is then transferred to  
21 those eggs and, therefore, it moves from mother to  
22 offspring, so that is the typical pattern that we see in  
23 Wolbachia transmission is from parent to offspring from  
24 the mothers, not from the fathers.

25           Q     And is it possible for a mosquito that has one

1 strain of Wolbachia to become infected with another  
2 strain of Wolbachia if it shares the same feeding area?

3 A So it's extremely unlikely that shared feeding  
4 areas would lead to Wolbachia transmission. As I  
5 alluded to previously, when they did injections of  
6 Wolbachia into other mosquitoes, it wasn't working.

7 We know that additionally the females are  
8 taking blood meals. The males are drinking nectar and  
9 that's how they get their sugars. The odds that it  
10 would be transmitted -- in this case what you're  
11 describing is horizontally within a generation. I have  
12 not seen any papers that credibly make it -- make a good  
13 point that it would be shared that way.

14 Wolbachia does very, very poorly outside of  
15 insect cells, so Wolbachia -- this is a non-scientific  
16 term, but it's kind of wimpy so it doesn't do well  
17 outside of that cellular environment, so it's geared not  
18 only to be within cells, but it's really evolved to be  
19 really successful at being within insect cells.

20 So when they do assays to try to see can it  
21 survive in mammalian cells or bird cells, other  
22 vertebrate cells, it really likes to just be inside  
23 insect cells specifically, so we see that Wolbachia  
24 degrades very quickly within the environment. So if you  
25 put it in water or soil or a surface, it dies very

1 quickly.

2 Q Could you explain what an assay is?

3 A Sorry. A test or a diagnosis.

4 Q And once Wolbachia is no longer in a mosquito,  
5 how quickly does it die?

6 A So that's a very, very good question because  
7 there's some labs that will just try to detect the  
8 genetic material of that Wolbachia, and so they will --  
9 and then some labs actually do the gold standard, which  
10 is viability, right?

11 So if you take a Wolbachia -- like if you take  
12 a mosquito with Wolbachia and let's say I crush it right  
13 here, you could come back in a week and you might find  
14 DNA, right? Does that mean it's viable? Probably not.

15 In the same way that I could go to your house  
16 and get your hair and I could detect your DNA, but it  
17 doesn't mean you're there. I hope that analogy makes a  
18 little bit of sense.

19 So picking up the DNA of an organism does not  
20 mean that it is still viable and that it's still alive,  
21 so, you know, from looking at some of the evidence or  
22 having discussions with Dr. Pang, he said, "Oh, it can  
23 persist in the environment for a really long time."

24 Those papers are papers where they looked at just, "Is  
25 the genetic material found, yes or no?" as opposed to

1 viability of the Wolbachia cells.

2 Q And in terms of the Wolbachia being present in  
3 the male mosquitoes, let's say a Hawaiian honeycreeper  
4 ingested one of the lab-infected mosquitoes, what would  
5 happen to that birds?

6 A So in the case of ingestion, it's actually a  
7 very easy answer because gastric juices will digest that  
8 organism very quickly, so the mosquito itself, as well  
9 as the Wolbachia, would be digested and would just be  
10 reduced to proteins, enzymes, fats, et cetera.

11 Q And what would happen if a human being  
12 ingested Wolbachia?

13 A The process would be the same. So our  
14 digestion is actually very similar to that of birds, so  
15 if I were to swallow a mosquito right now, it would go  
16 from my esophagus into my stomach and the digestive  
17 juices of the stomach would break down that mosquito in  
18 the same way.

19 Q And let's say there was a female mosquito with  
20 the lab strain of Wolbachia, if it bit a Hawaiian  
21 honeycreeper, would it then transmit Wolbachia into that  
22 honeycreeper?

23 A So no, because, again, Wolbachia really is  
24 geared towards living inside of insect cells  
25 specifically, so we see that Wolbachia cannot persist in

1 either mammalian or, in this case, avian cells, so there  
2 is no recorded evidence in any of the literature of  
3 Wolbachia persisting in any avian model.

4 Let me rephrase that. There's no evidence of  
5 Wolbachia persisting in either a person's body or a  
6 bird's body.

7 Q Is there any chance that an IIT mosquito could  
8 harm an endangered forest bird?

9 A Not in any realistic scenario that I can think  
10 of. I cannot think of a way it could harm it.

11 Q Is there any chance that a Wolbachia-infected  
12 mosquito could harm any other type of bug?

13 A Could you elaborate on that question?

14 Q Sure. Is it possible for a Wolbachia-infected  
15 mosquito to transmit Wolbachia to another bug?

16 A Okay. Thank you. So we -- again, *Aedes*  
17 *albopictus*, which is currently on the island of Maui,  
18 and *Culex quinquefasciatus*, which is also full of  
19 Wolbachia on the island of Maui, we have no known  
20 horizontal transfer events that have taken place during  
21 that time, so *Culex* was introduced to the island of Maui  
22 in 1826 and we've never seen it escape into any other  
23 organism. So the *Culex quinquefasciatus* that has been  
24 on Maui since 1826 has never been seen to pass its  
25 Wolbachia to, say, the ladybug or another mosquito or



1 any other insect, so there's no record of that happening  
2 in nearly 200 years.

3 Q Okay. So now when a lab-infected male  
4 mosquito mates with a wild female under this project,  
5 can that male mosquito transmit the lab strain of  
6 Wolbachia to the wild female?

7 A So in order to address that, I would, like,  
8 pull on the literature. So there is some literature on  
9 that. There was a study by Ross, et al where they  
10 looked at whether this particular strain could be  
11 transmitted through sexual reproduction. It was the  
12 same strain that we're using in this project, which is  
13 called WALB.

14 So they looked at males that had Wolbachia.  
15 They mated them with females that did not have  
16 Wolbachia. They tested the females for 23 days  
17 post-mating, and they found that the females were not  
18 infected with WALB or the strain of Wolbachia.

19 So based on the literature that we have so far  
20 that I have looked at, it does not seem to be  
21 transmitted through reproduction.

22 Can I add to that a little bit?

23 Q Do you mind if she adds?

24 MR. VANDEVEER: No objection.

25 THE WITNESS: So just to recap, Wolbachia is

1 typically transmitted what we call vertically through  
2 the generations, right? That's vertical transmission  
3 from parent to offspring. Horizontal would be within a  
4 generation, and we do see that Wolbachia is vertically  
5 transmitted.

6 BY MS. STEED:

7 Q Is there -- if vertical transmission were to  
8 be suppressed, would that increase horizontal  
9 transmission of Wolbachia?

10 A Of the Wolbachia cells.

11 Q And in terms of the Wolbachia being present in  
12 the male mosquitoes, let's say a Hawaiian honeycreeper  
13 ingested one of the lab-infected --

14 A I'm not sure what that means.

15 Q Well, so, for example, under this program --  
16 well, let me back up for a second.

17 So under this program, you release  
18 lab-infected male mosquitoes, they mate with the wild  
19 females and, if successful, the population of wild  
20 females is reduced; is that correct?

21 A That is correct.

22 Q So could you explain the difference between  
23 vertical and horizontal transmission?

24 A Sure. So vertical means from parent to  
25 offspring. Horizontal means within a generation. So,

1 for example, horizontal transmission could be through  
2 sexual contact between two insects. It could be through  
3 something like a parasitoid wasp that's moving around  
4 from one insect to another. It could be from -- you  
5 know, when we look at hemiptera insects, so insects that  
6 feed on plants, there's been some ideas that maybe  
7 through shared plant feeding -- hemipteras are insects  
8 with piercing sucking mouth parts and they will stab the  
9 plant itself and they will suck the plant juices, so  
10 there's been conversations and writing in the literature  
11 about perhaps shared feeding with these piercing sucking  
12 mouths could move around symbionts.

13 Q Could you define what a symbiont is?

14 A Yes. So an endosymbiont is a bacteria that  
15 lives within insects and it's typically restricted to  
16 meaning bacteria that are, like, established in a way  
17 that is stable. So we're not talking about pathogens  
18 typically when we talk about endosymbionts, so  
19 disease-causing organisms that have transient infections  
20 in an insect are not considered endosymbiont.

21 So insects endosymbionts are very, very common  
22 and they're very, very diverse. And that is some of the  
23 work that I did for my master's at the University of  
24 Arizona.

25 Q Is Wolbachia an endosymbiont?

1           A     Yes.

2           Q     And is there a correlation between vertical  
3 transmission of Wolbachia and horizontal transmission?

4           A     Could you clarify your question?

5           Q     Are vertical -- is vertical transmission and  
6 horizontal transmission at all related?

7           A     No, they're really, really different  
8 processes. So for looking at Wolbachia, because  
9 Wolbachia is a reproductive manipulator, and it really  
10 tends to segregate within the tissues to places like the  
11 ovaries -- if you have an infection that's in your  
12 ovaries, it's quite unlikely that that would pass to a  
13 neighboring insect because the ovaries are deep within  
14 the body, the ovaries are not exposed to the exterior  
15 environment, so that type of infection does not -- is  
16 not expected to be easily moved around.

17          Q     Now, for the release of these lab-infected  
18 male mosquitoes under the project, is there a chance  
19 that female mosquitoes are also being released?

20          A     So when we talk about that, we look up  
21 probabilities, right? So the probability is non-zero of  
22 that happening, but what we do know is that the  
23 probability of that happening is very low, and the  
24 consequence of that happening is also very low, so it's  
25 a low probability and a low consequence event.

1           So currently the best estimates are that the  
2 risk of releasing one of these females is approximately  
3 one in 50 million. Now, if that were to happen, that is  
4 a low consequence event because realistically for our  
5 project if females get released, that just means that  
6 the project is a little bit less successful. It means  
7 that there's a little bit less suppression happening.

8           So in terms of if that happens, it's not a  
9 world-ending thing, it's something where, from our  
10 perspective, it would make the project a little bit less  
11 efficient. If you look at release, the odds that those  
12 females that are released would actually establish is  
13 very low also.

14           Q     Now, where does the one in 50 million  
15 probability come from?

16           A     So those are numbers that are established by  
17 Verily, and that is based on their multi-sorting  
18 process. So they have multiple steps that are used to  
19 check whether a mosquito is a male or a female, so it  
20 has both mechanical sorting based on size, there's also  
21 sorting based on visual computer estimation, and then  
22 they also do expert visual, like actually looking at  
23 mosquitoes.

24           It's very, very easy to tell male and female  
25 culex apart, which is great. Like I could teach a field

1 tech in, like, ten seconds the difference between a male  
2 and a female. They have really, really different  
3 antennae and so using those different sex  
4 characteristics, it's very easy to tell them apart with  
5 both the human eye and using computer visual methods.  
6 So Verily's sorting process uses a multiple step process  
7 to make sure that only males are being released.

8 Q Could you explain any other biological  
9 differences between the female and the male?

10 A Sure. So the most obvious difference is in  
11 the antennae. Because the males have to seek out the  
12 females, they have really feathery antennae that are  
13 extremely bushy. You can actually tell with the naked  
14 eye.

15 So if I walked up to the judge with one male  
16 if one hand and a female in the other hand and I was  
17 like, "Look," you would be able to tell the difference.  
18 So you can see with the naked eye. You can see even  
19 better with a microscope that you can tell with the  
20 naked eye.

21 You can also look at the palps, which are  
22 located on the head. So the female has two really short  
23 palps and the males have two really long palps that are  
24 nearly as long as the antennae themselves, so using the  
25 antennae is very obvious, and then using the palps is

1 also quite obvious sex characteristic.

2 Q Have the predictions for how many females are  
3 being released changed over time?

4 A Yes. So the more you do something in a lab,  
5 typically the better at it you get, right? One hopes.  
6 So what we've seen from Verily is that as their sex  
7 sorting improves, as they have more and more data, as  
8 they train up their visual sorting mechanism, that the  
9 rate at which you accidentally might miss a female gets  
10 lower and lower the longer you do it, so currently the  
11 rate, like I mentioned, is expected to be one in  
12 50 million.

13 Q And previously you testified that that rate  
14 would be a low consequence?

15 A Yes.

16 Q Why is that?

17 A So because culex on the island of Maui already  
18 has Wolbachia, right, so the culex are already there and  
19 the culex already have Wolbachia and they're  
20 extremely -- they're extremely numerous, unfortunately,  
21 right? For both birds and people, they're very numerous  
22 and they love biting.

23 So they're already out there, they already  
24 have Wolbachia, so if you release a female with a  
25 different Wolbachia strain, that is not a catastrophic

1 impact in any way that I can think of. The impact is  
2 that if we release a lot of these lab-bred females, then  
3 the program becomes less efficient.

4 And so it really is, from our perspective, and  
5 from my professional opinion, the impact of releasing a  
6 female is just that the program makes your population  
7 crash slower of mosquitoes, right, so you might have  
8 mosquitoes for longer.

9 Q So let's say that a higher number of  
10 mosquitoes were released per release of male, higher  
11 than -- well, high enough to establish an actual  
12 population, what would the ecological impact be?

13 A So I'll explain a little bit. So these  
14 mosquitoes, this Wolbachia, they have bidirectional  
15 incompatibility, so what that means in practice is that  
16 you could actually swap your males to then a different  
17 Wolbachia strain. You could go back to the lab, you  
18 could do that whole Wolbachia swapping process all over  
19 again, and you could establish a different strain of  
20 males, right, that now don't match those females. So  
21 you could go back to the drawing board essentially, if  
22 that scenario were to happen and you could establish a  
23 new strain that is still incompatible with those  
24 females.

25 Q The existence of females with the original



1 lab-bred strain, would that have an adverse ecological  
2 impact?

3 A I can't think of any adverse ecological impact  
4 other than, as I mentioned, we would reduce the mosquito  
5 population maybe less quickly and, therefore, the birds  
6 would be exposed to more harm.

7 MS. STEED: Apologies, your Honor, we're going  
8 to present some of the same exhibits.

9 THE WITNESS: Okay.

10 (inaudible whispering)

11 MS. STEED: Thank you so much.

12 Okay. Can we use your --

13 MR. VANDEVEER: Is it Exhibit -- it might  
14 actually be up there --

15 MS. STEED: Yeah.

16 MR. VANDEVEER: -- actually. Let me check.  
17 It jumped. I apologize. 14, 12, 10. Here it  
18 is.

19 MS. STEED: Thank you.

20 Your Honor, is it okay if I approach the  
21 witness with Exhibit P14 which was previously entered  
22 into evidence?

23 JUDGE TONAKI: Yes.

24 BY MS. STEED:

25 Q Ms. Ferguson, please take a moment to look

1 over Exhibit 14.

2 A Okay.

3 Q Okay. Have you seen this study before?

4 A I don't believe I have read this paper before.

5 Q Okay.

6 (inaudible whispering)

7 MS. STEED: Your Honor, may I approach with  
8 Exhibit 12 -- P12 was already admitted into evidence?

9 (inaudible whispering)

10 BY MS. STEED:

11 Q Ms. Ferguson, please take a moment to look  
12 that over.

13 A Okay.

14 Q Have you seen this study before?

15 A Yes.

16 Q Can you explain what this study is about?

17 A Yes. Okay. So this paper focuses on  
18 parasitoid wasps. So parasitoid wasps are a type of wasp  
19 that are very unique in their lifestyle. They can only  
20 complete their development inside of other insects.

21 So if you'll allow me this comparison, the  
22 movie "Aliens" was based on this. So aliens is as if we  
23 had parasitoids, right? They develop in your body and  
24 then woo, they emerge from the host. So that actually  
25 does happen in insects. It's quite common. And

1 presatoid wasps -- the female will lay her egg inside an  
2 insect, the egg will hatch, the larva will consume the  
3 host from the inside --

4 (Audio from 3:43 to 3:53 not transcribed)

5 THE WITNESS: If I'm bitten by a mosquito, I  
6 don't care if it has a virus in its legs, I care if it  
7 has virus in its saliva in its mouth parts.

8 MS. STEED: Your Honor, considering that it's  
9 3:53 p.m. should we recess --

10 JUDGE TONAKI: Well --

11 MS. STEED: -- knowing that the State will  
12 recall Ms. Ferguson at the beginning on the 15th?

13 JUDGE TONAKI: Now, Ms. Ferguson, are you able  
14 to -- the next date for this hearing will be August 15th  
15 at 1:30. Can you return?

16 THE WITNESS: Yes, I can.

17 JUDGE TONAKI: Okay. So we will end the  
18 hearing for today. The next hearing date will be  
19 August 15th at 1:30 and we'll go until four. I have  
20 identified another possible day.

21 MS. STEED: Okay.

22 JUDGE TONAKI: August 21st. Are the parties  
23 available at 10:30 until four?

24 MR. VANDEVEER: So August 21st at 10:30?

25 MS. STEED: Yes, that's fine for the State.

1 MR. VANDEVEER: That's fine for Plaintiffs,  
2 your Honor.

3 JUDGE TONAKI: Okay. So we'll set aside those  
4 two dates for a continued hearing, August 15th, 1:30 to  
5 four and August 21st, 10:30 to four.

6 MS. STEED: Would you like the State to file  
7 the notice of continued hearing?

8 JUDGE TONAKI: Yes, please.

9 MS. STEED: Okay. Thank you.

10 JUDGE TONAKI: Okay. Is there anything  
11 further?

12 MS. STEED: Nothing from the State.

13 MR. VANDEVEER: I have an administrative  
14 question for you.

15 JUDGE TONAKI: Yes.

16 MR. FRANKEL: You know, if we had a court  
17 reporter here, we could give them a glossary. There's  
18 a lot of these big words. I don't know how we can do it  
19 now with the -- with the automated things.

20 Is there any way we can get a glossary to the  
21 court reporter?

22 JUDGE TONAKI: Well, you could -- perhaps you  
23 could file a glossary, and then if a transcript is later  
24 required, then the court reporter could get that  
25 glossary because they will transcribe off of the

1 recording.

2 MR. FRANKEL: Right.

3 JUDGE TONAKI: Yeah. Okay.

4 MR. VANDEVEER: And when that will that be?

5 MS. STEED: I don't think there --

6 JUDGE TONAKI: And we will try and get court  
7 reporters for the next two hearing dates, but, of  
8 course, we know that's not assured these days.

9 Okay. Anything further?

10 MR. VANDEVEER: Nothing further, your Honor.

11 MS. STEED: Nothing from the State.

12 JUDGE TONAKI: Thank you, everyone. The Court  
13 will stand in recess.

14 THE BAILIFF: All rise. The Court is in  
15 recess.

16 (End of audio and video recording)

17 - o0o -

18

19

20

21

22

23

24

25

REPORTER'S CERTIFICATE

I, MaryAnne Young, CSR No. 369, Certified Shorthand Reporter, certify:

That the foregoing is a true and correct transcript of my shorthand notes so taken.

I further certify that I am not a relative or employee of any attorney of the parties, nor financially interested in the action.

I declare under penalty of perjury under the laws of Hawaii that the foregoing is true and correct.

Dated this 13th day of April, 2023.

---

MARY ANNE YOUNG, CSR No. 369